

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF LOUISIANA

IN RE: FEMA TRAILER
FORMALDEHYDE PRODUCTS
LIABILITY LITIGATION

Docket No. MDL-1873(N)
New Orleans, Louisiana
Tuesday, December 2, 2008

TRANSCRIPT OF MOTION TO CERTIFY CLASS PROCEEDINGS
HEARD BEFORE THE HONORABLE KURT D. ENGELHARDT
UNITED STATES DISTRICT JUDGE

APPEARANCES:

FOR THE PLAINTIFF
STEERING COMMITTEE:

GAINSBURGH BENJAMIN DAVID
MEUNIER AND WARSHAUER
BY: GERALD E. MEUNIER, ESQ.
JUSTIN I. WOODS, ESQ.
2800 Energy Centre
1100 Poydras Street, Suite 2800
New Orleans, LA 70163

LAW OFFICES OF FRANK J. D'AMICO, JR.
BY: FRANK J. D'AMICO, JR., ESQ.
622 Baronne Street
New Orleans, LA 70113

REICH & BINSTOCK
BY: DENNIS REICH, ESQ.
4265 San Felipe, Suite 1000
Houston, TX 77027

NEXSEN PRUET JACOBS POLLARD & ROBINSON
BY: PAUL A. DOMINICK, ESQ.
P. O. Box 486
Charleston, SC 29402

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 FOR THE DEFENDANTS'
LIAISON COUNSEL:

DUPLASS ZWAIN BOURGEOIS MORTON
PFISTER & WEINSTOCK
BY: ANDREW D. WEINSTOCK, ESQ.
JOSEPH G. GLASS, ESQ.
Three Lakeway Center
3838 N. Causeway Boulevard, Suite 2900
Metairie, LA 70002

6 FOR THE GOVERNMENT:

UNITED STATES DEPARTMENT OF JUSTICE
BY: HENRY T. MILLER, ESQ.
MICHELLE G. BOYLE, ESQ.
ADAM M. DINNELL, ESQ.
Civil Division - Torts Branch
P.O. Box 340, Ben Franklin Station
Washington, D.C. 20004

12 FOR FLEETWOOD ENTERPRISES,
INC. AND FLEETWOOD CANADA,
13 LTD.:

NELSON, MULLINS, RILEY & SCARBOROUGH
BY: RICHARD K. HINES, V, ESQ.
201 17th St., NW
Suite 1700
Atlanta, GA 30363

18 Official Court Reporter:

Karen A. Ibos, CCR, RPR, CRR
500 Poydras Street, Room HB-406
New Orleans, Louisiana 70130
(504) 589-7776

22 Proceedings recorded by mechanical stenography, transcript
23 produced by computer.
24
25

I N D E X

WITNESSES FOR PLAINTIFF:

PAGE/LINE:

PATRICIA M. WILLIAMS, Ph.D.

Voir Dire Examination by Mr. D'Amico	12/25
Traverse Examination by Mr. Weinstock	21/19
Direct Examination by Mr. D'Amico	24/7
Cross-Examination by Mr. Weinstock	62/16
Cross-Examination by Mr. Dinnell	100/23
Redirect Examination by Mr. D'Amico	114/5

WITNESSES FOR DEFENDANTS:

H. JAMES WEDNER, M.D.

Voir Dire Examination by Mr. Hines	117/1
Traverse Examination by Mr. Reich	120/4
Direct Examination by Mr. Hines	120/25
Cross-Examination by Mr. Reich	130/4

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(TUESDAY, DECEMBER 2, 2008)

(MOTION TO CERTIFY CLASS)

1
2
3
4
5 THE COURT: Good morning. As you were. All right. We
6 have today the hearing for class certification in the FEMA Trailer
7 Formaldehyde Products Liability Litigation, MDL No. 1873.

8 Counsel, are you prepared to proceed?

9 MR. MEUNIER: For plaintiffs we are, your Honor.

10 MR. WEINSTOCK: Defendants are as well, your Honor.

11 MR. MILLER: The government as well, your Honor.

12 THE COURT: Let's go ahead and make our appearances then
13 of those of you who are going to have an active participatory role
14 in this hearing. Mr. Meunier.

15 MR. MEUNIER: For the PSC, Jerry Meunier.

16 MR. D'AMICO: On behalf of the PSC, Frank D'Amico, Jr.

17 THE COURT: Good morning.

18 MR. WOODS: On behalf of the PSC, Justin Woods.

19 THE COURT: Good morning.

20 MR. WEINSTOCK: For the manufacturing defendants, Andy
21 Weinstock.

22 MR. HINES: For the manufacturing defendants, Richard
23 Hines.

24 MR. MILLER: On behalf of the United States, your Honor,
25 Henry Miller, Adam Dinnell, and Michelle Boyle.

1 THE COURT: Good morning.

2 MR. REICH: On behalf of the PSC, your Honor, Dennis
3 Reich.

4 MR. DOMINICK: And on behalf of the PSC, Paul Dominick.

5 THE COURT: Good morning to all of you all. We had
6 previously discussed a time-limited presentation, and I will tell
7 you at outset that I have read through the materials you all have
8 submitted, they are substantial and comprehensive. I appreciate
9 your work on it. They are very detailed.

10 I have also received your pretrial order, which approaches
11 400 pages, and I have been through that. So what I would like to
12 do at this juncture is to give you an opportunity here to both
13 present any additional testimony, the key word being additional
14 testimony and argument; and we had previously discussed breaking
15 this four-hour window down into three hours of testimony, whichever
16 way you all want to divide that up, and then one hour of oral
17 argument, a half hour allotted to the plaintiffs, that would
18 include rebuttal time -- you can reserve some of that for
19 rebuttal -- and a half hour for both the defendants and the
20 government to make any oral presentation they would like to make.
21 Doing that would get us to the 12:30 hour, which is when we are
22 going to conclude the hearing today.

23 So I will stress again the word additional. I do not need
24 to hear something that is made clear in the briefs. And I hope as
25 I sit here now that if you've filed it and you've proofread it, you

1 feel like your points are clearly made. So let's build upon that,
2 supplement that.

3 I will also tell you at the outset it is my intention to
4 take the motion under advisement. So if there are any of you here
5 who are waiting with bated breath for a ruling at 12:31, I don't
6 intend to issue a ruling today from the bench. I would much prefer
7 to issue a written order and reasons and that is what I will do.

8 I am considering and I assume that you all would certainly
9 want to take me up on the idea of filing a very short page limited
10 post hearing memo, page limited meaning I am thinking in terms of
11 ten pages. And that again is a supplement. It is not a rehash of
12 what I have either already read or what I am hearing today. It
13 would simply be an opportunity for you as you return to your
14 offices in case something pops into your head as a result of what
15 is said here today to go ahead and make some additional commentary
16 on that. It is not an opportunity to tell me again something that
17 I've already heard or read, in many cases several times over. So
18 let's proceed in that fashion.

19 There is also a Daubert challenge with regard to
20 Dr. Williams, I believe. I have read the material for that, you
21 all have submitted a lot of material on that. My intention with
22 regard to that is to go ahead and cover that. You can cover that
23 with testimony here today, you can cover it as part of your oral
24 argument. A ruling on that, if necessary, depending on where it
25 fits in the court's decision will be forthcoming as part of its

1 order and reasons relative to the class cert hearing.

2 I don't intend to rule on the Daubert motion today, or I
3 guess there is a chance I could rule on it independently and before
4 I rule on class cert, but I would like to go ahead and consider
5 that all at the same time. So let's proceed in that fashion.

6 MR. MEUNIER: Your Honor, for the plaintiffs, just if I
7 could seek some clarification. If we are able to address, for
8 example, Daubert issues as well as the admissibility of certain
9 exhibits that have been objected to in post trial briefing, could
10 we then use our 30 times argument today just on the question of
11 certification?

12 THE COURT: Yes. But I do want to have a page limited
13 post trial briefing. So again, you know, if you can do that within
14 the confines of the ten page limit that I am suggesting -- of
15 course, if I give ten to each the government and the defendants,
16 perhaps the plaintiffs, it would be fair to give them 15, balancing
17 the equities here of your position having to confront both of the
18 government and the defendant.

19 But if you can do it in that page limit, that's fine. If
20 you make that known as your presentation, I would be perfectly
21 willing to consider those objections as part of the post trial
22 issues.

23 MR. MEUNIER: And I only say that, your Honor, because I
24 think the way the time is divided with 30 minutes total to
25 plaintiffs, we would probably prefer to use the entire 30 minutes

1 of argument on certification under Rule 23 and defer until later
2 any argument on Daubert or admissibility and just put that into our
3 post trial brief.

4 THE COURT: That's fine.

5 MR. MEUNIER: And, Judge, in terms of the order. As you
6 know we have one live witness and I believe the defendants either
7 have one or two. Will it be permissible to allow the plaintiff
8 expert to testify, then the defendant's witnesses to testify, and
9 then proceed to arguments?

10 THE COURT: Yes. That would be my plan would be to start
11 the oral arguments around 11:30, that would give us three hours,
12 and I would like to divide that time so that we do know that we can
13 finish within three hours all of the pertinent testimony that you
14 would like to present here in open court today. That in no way
15 limits -- I mean, if you filed affidavit or deposition testimony,
16 of course the court has the benefit of that and today's testimony
17 would be purely a supplement to what has already been filed.

18 I will tell you that if we go ahead and do the post trial
19 memos, which it sounds like you all would like to do, I don't want
20 to have post trial memos and then have reply memos and back and
21 forth. Because the paper up here is -- I saw people wheeling boxes
22 in here yesterday that looked like the afternoon of D-Day when
23 they're putting the materiel on to the beach of Normandy to support
24 the invasion. I don't need more paper. What I would like is
25 simply a recap or a response to what we did here today.

1 So keep in mind, you may want to talk to each other and
2 say, well, here is what we're going to cover in our ten pages,
3 because you won't have a chance to file one, "oh, I have to reply
4 to what they've put" because this could go on well into the spring
5 time if we do that.

6 MR. MEUNIER: It would be simultaneously --

7 MR. D'AMICO: Simultaneous -- that's what I was going to
8 ask.

9 THE COURT: Simultaneously filed. They'll be due on the
10 exact same date, probably a day next week.

11 MR. MEUNIER: Thank you, Judge.

12 MR. D'AMICO: Judge, one more point of clarification
13 before we begin. Initially when we had talked about three hours of
14 testimony, we were going to be calling two live witnesses.

15 THE COURT: Okay.

16 MR. D'AMICO: I took your admonition to heart very
17 seriously and we decided not to call Dr. Ken Paris live, instead we
18 reduced his testimony to a three-page supplemental affidavit.

19 THE COURT: Right.

20 MR. D'AMICO: Therefore, I don't anticipate more than an
21 hour with Dr. Patricia Williams. What I would like to do since we
22 do have four hours set aside though is reserve some additional
23 time, because we do have a lot of argument to make on trial plans
24 and Rule 23 and those things. So if we could get an extra
25 15 minutes for that taken away from testimony time, that would help

1 a lot.

2 THE COURT: I tell you what I'm going to do. I don't like
3 to relegate myself to the role of official timekeeper. We will set
4 aside an hour and a half for each of you with regard to examination
5 of witnesses, direct or cross, okay. That will be the game plan
6 and then a half hour each for oral argument. If you don't use your
7 hour and a half on witnesses, I will credit you up to 15 minutes
8 additional time to make oral argument.

9 MR. D'AMICO: That'll help tremendously, your Honor.

10 THE COURT: So let's try to be efficient. If we can be
11 efficient, then it might benefit you and we might be able to get
12 this done again by 12:30.

13 MR. D'AMICO: Thank you very much.

14 MR. WEINSTOCK: Your Honor, I don't want to spend the
15 morning talking about logistics, but yesterday we did receive the
16 plaintiffs' PowerPoint that's going to go with Dr. Williams. We
17 got it yesterday and there is no meaningful way to object to it.
18 Some of it's obviously new. There are a few slides that I am going
19 to have questions for when they're up on the board as to whether
20 these pictures actually go with the study that's entitled on top,
21 and if the court would indulge me that since I did not have an
22 ability to brief it and explain it or to cut those pictures out, I
23 would appreciate it.

24 THE COURT: So you want to do that while they're putting
25 them up?

1 MR. WEINSTOCK: I want to be able to say clarification,
2 are these pictures really out of this study or is there a study on
3 top and these are just illustrative pictures below?

4 THE COURT: Why don't you just make it in the form of an
5 objection and that way I can hear it. If the answer is
6 satisfactory then there will be no need for me to rule.

7 MR. WEINSTOCK: Right. And I don't need to cross-examine
8 at that point, I just want clarification. Thank you, your Honor.

9 MR. MILLER: Actually on that same vein, your Honor.

10 THE COURT: Mr. Miller.

11 MR. MILLER: Henry Miller for the United States. The
12 government objects to the PowerPoint presentation that apparently
13 Dr. Williams is going to use. I got notice from my paralegal that
14 that had been sent to the government and received it yesterday. I
15 got a copy this morning for the first time. It wasn't identified
16 pursuant to Rule 26 as attachments to her report, which Rule 26
17 requires a witness to do. Apparently it wasn't identified in the
18 pretrial order, which was submitted to the court on Monday. It's
19 listed some demonstrative exhibits but they don't identify it
20 specifically. So the United States would object to the use of that
21 PowerPoint presentation.

22 THE COURT: Okay. The objection is so noted. It will be
23 considered in connection with the witness 's testimony. Why don't
24 we go ahead and begin. If you would go ahead and call the first
25 witness for the plaintiffs. This will be Dr. Williams, I believe.

1 MR. MEUNIER: Dr. Patricia Williams.

2 THE COURT: Dr. Williams, if you would come up here,
3 ma'am. Please remain standing until you take the oath.

4 Before we start, last call for cell phones. Anybody that
5 has cell phones, black berries, pagers, whatever else they've
6 invented since I instituted my rule, would you please turn those in
7 to my secretary Susan. Even turned off I appreciate it if you
8 would not have those in the courtroom.

9 Those of you who can't see that need to see this, this is
10 just for attorneys, if you would like to sit in the jury box,
11 you're free to do so. It may be if you're sitting on this side it
12 may be hard to see the screen. Maybe you've already seen the slide
13 show or whatever, PowerPoint.

14 THE DEPUTY CLERK: Please raise your right hand.

15 (WHEREUPON, PATRICIA M. WILLIAMS, Ph.D., WAS SWORN IN AND
16 TESTIFIED AS FOLLOWS:)

17 THE DEPUTY CLERK: Thank you. You may be seated.

18 THE WITNESS: There was one more thing I needed.

19 THE DEPUTY CLERK: Okay. Please state your name and give
20 correct spelling for the record.

21 THE WITNESS: Patricia M. Williams, P-A-T-R-I-C-I-A,
22 middle initial M, Williams, W-I-L-L-I-A-M-S.

23 THE COURT: Go ahead.

24 VOIR DIRE EXAMINATION

25 BY MR. D'AMICO:

1 Q. Good morning, your Honor, Frank D'Amico, Jr. on behalf of the
2 Plaintiffs Steering Committee.

3 Dr. Williams, would you please state your name for the
4 record.

5 A. Patricia M. Williams, Ph.D., DABT.

6 Q. And, Dr. Williams, in connection with your testimony, did you
7 provide the court with an up-to-date and current copy of your
8 curriculum vitae?

9 A. Yes, I did.

10 MR. D'AMICO: And that curriculum vitae, your Honor, has
11 already been attached and made a part of the record, so we won't go
12 into all of her publications and all of her extensive background.
13 But for the benefit of the court because there is a Daubert
14 challenge, I would like to go over a few of her background
15 credentials.

16 THE COURT: Sure.

17 BY MR. D'AMICO:

18 Q. Dr. Williams, would you please give us a brief recitation of
19 your education and training, and mention anything specific to that
20 which might be of particular interest to this case.

21 A. Well, I am board certified in toxicology by the American Board
22 of Toxicology, which is actually certifies internationally, there
23 are about 2,000 of us worldwide with that certification. It
24 requires passage of a three half day board exam with
25 credentialing -- first, before we can take it we have to be judged

1 as to whether we have practiced toxicology for a period of time.
2 And then you're allowed to take the board.

3 I completed all three parts successfully on the first try,
4 there is usually about a 50 percent passage rate on it. From then
5 you must maintain continuing education on an annual basis and
6 recertify in another five years, every five years. You may not use
7 the term DABT, Diplomate of the American Board of Toxicology,
8 unless you do. It's a competency exam in toxicology.

9 That -- my credentials since I think it was 2006, November
10 of 2006. I have a Bachelor of Science in medical technology and I
11 am licensed by the State of Louisiana and qualified, also board
12 certified for the interpretation -- performance and interpretation
13 of all complexity testing, including high complexity testing of
14 laboratory procedures.

15 And in addition because of my doctorate level and my
16 experience and that board certification, I am qualified under
17 federal law, the CLIA, Clinical Laboratory Improvement Act, to be a
18 laboratory director. And have had a medical surveillance
19 laboratory for -- laboratory procedures for environmental and
20 occupational exposure to analyze clinical warning signs. That's my
21 bachelorette degree.

22 I also have a masters in microbiology, and in that
23 microbial physiology and biochemistry as part of that. I began
24 working with formaldehyde at that time as my master's level because
25 I did electron microscopy, so I formed the cross-links and tissues

1 with the formaldehyde to be able to look at the structures, cell
2 structures and cytostructures.

3 I have a doctorate in anatomy from Tulane in the
4 Department of Anatomy, specifically my minor is biochemistry. I
5 did work on erythropoiesis and megakaryocytopoiesis, and again used
6 formaldehyde and other aldehydes in fixation of tissues,
7 visualization of cell receptors and ultra structure of the cell as
8 well as, of course, cadavers we have formaldehyde fixative in
9 there.

10 My doctorate, of course, I did animal and human research,
11 including dose responses and analysis of those particular kinds.
12 I've also done immunocytochemistry.

13 Post doctorally I continued to have graduate training in
14 epidemiology from Tufts Medical Center, and then, of course,
15 continued with some continuing education at the New England
16 Epidemiology Center. I think that was at the University of
17 Michigan. I also did some -- I do a lot of continuing education in
18 toxicology, University of Kansas Medical Center, Dr. Clawson who
19 wrote the book on toxicology has a course, and then every year I go
20 to cytotoxicology meetings.

21 I also in my academic career started out at LSU Medical
22 Center, and, of course, worked my way up and became a department
23 head in medical technology for seven years. And that was a
24 statewide position in which I organized and implemented the
25 teaching programs and all of the aspects of medical technology,

1 immunology, hematology, clinical chemistry, paracytology,
2 microbiology, urinalysis, the works.

3 Then I went on to become the director of the occupational
4 toxicology outreach program as an associate professor, tenured
5 associate professor of medicine with LSU Medical Center in
6 Shreveport. And there I ran a statewide program for prevention of
7 disease from chemical exposure.

8 My main responsibility was to be, to provide information
9 to physicians who are unfortunately are not trained in recognition
10 and the other etiology, the occupational environmental etiology of
11 disease. So I taught second year medical students and worked
12 closely with my fellow peers in the Department of Medicine, as well
13 as community physicians throughout the state. They would call me
14 and ask me if I would do the environmental histories on their
15 patients when they could find no other reason why they were not
16 responding to treatment.

17 So I did that from 1995 to 2005. I was also a codirector
18 of the Center of Excellence for Clinical and Forensic Toxicology
19 which is really pulls together things outside of occupational and
20 environmental medicine such as drug testing, forensic type work.

21 In 2005 I moved to the University of New Orleans --
22 actually, while I was in Shreveport I implemented an asthma
23 education and intervention program. I had received funding as I
24 proposed a settlement in a case by Judge Mary Ann Lemmon's court,
25 and it was accepted as a win-win from both sides; so then I

1 inherited the job to implement it, and I was the principle
2 investigator. So I implemented that, you know, at St. John Parish
3 for adults and children.

4 And then it was so successful I brought all of the
5 computer programs that we developed for the intervention and the
6 education program to the pulmonary care clinic in Shreveport with
7 my colleagues. And I maintained through my budget asthma education
8 to implement that.

9 From there I moved to the University of New Orleans and
10 became the coordinator for toxicology research laboratories and I'm
11 an associate professor in the Pontchartrain Institute for
12 Environmental Sciences. There I teach three courses in toxicology:
13 Toxicology in Human Health, Ecotoxicology, and be teaching a new
14 one, the Toxicology of Coastal Marine Microorganisms, because they
15 do a lot of the coastal erosion work.

16 Q. Doctor, have you ever performed health profiles and
17 epidemiologic studies in workers to identify the evolution of
18 disease in association with chemical exposures?

19 A. Yes. I've surveyed over 17,000, and stopped counting after
20 that, workers throughout the state with surveys similar to symptom
21 surveys that we used on the plaintiff fact sheet to identify. And
22 I worked with both industry, as well as labor, on hazardous
23 materials committees to help them identify through the symptoms hot
24 spots in their workplace that needed to be addressed.

25 Q. Doctor, have these survey questionnaires ever been used in

1 court?

2 A. No. I would not allow that. That was strictly for the benefit
3 of both industry and labor, and I was often called in by industry
4 itself to help them with it.

5 Q. Okay. Let me make sure I understand you. These survey
6 questionnaires, have they ever been used in court?

7 A. Oh, now, I have other surveys.

8 Q. Yes.

9 A. I have the environmental history surveys.

10 Q. That's what I'm talking about.

11 A. You asked about the workers. The workers, that I would not
12 allow to be used in court, that was kept very confidential, only
13 industry or management or the workers' unions would know that.

14 But I have also an environmental health survey that was
15 developed and certainly was peer reviewed by, approved by and used
16 in a community study, Grand Bois, for research, which was a
17 two-year study followed up one year of the epi study and using that
18 research, that environmental health survey, and then a medical
19 surveillance with laboratory procedures for a year. That same
20 survey I used with the physicians, as I mentioned, to do an
21 environmental history.

22 But it also has been accepted in two federal courts, one
23 in U.S. District Court as a causation tool, one in U.S. District,
24 Northern Mississippi, I think Eastern District, Columbus,
25 Mississippi; and the other in Texarkana Division, I think that's

1 Northern Texas -- no, Eastern Texas -- Texarkana division of the
2 U.S. District Court. Both have acknowledged the, that particular
3 health survey as an absolute acceptable and really praised it, I
4 think, in their orders as being very good.

5 Q. Have you ever been qualified as an expert to testify in federal
6 court before?

7 A. Yes.

8 Q. Can you tell us how many times?

9 A. Twice.

10 Q. Have you ever been excluded from testifying in your area of
11 expertise in any courts?

12 A. No.

13 Q. And have you ever been qualified by the courts as an expert in
14 the areas of expertise as you've recited them to the court?

15 A. Wait. Would you repeat that?

16 Q. Which areas of expertise have you been qualified in?

17 A. Oh, the areas of expertise, toxicology, anatomy, hematology,
18 epidemiology, interpretation of laboratory procedures, causation,
19 etiology, specific and general causation, and many other things.

20 THE COURT: That was on both occasions, twice that you've
21 been qualified in federal court you were offered in all of those
22 areas --

23 THE WITNESS: In Texarkana --

24 THE COURT: Wait. Let me finish.

25 THE WITNESS: Oh, okay.

1 THE COURT: You were offered on the areas that you just
2 recited and were accepted in all of those areas on both occasions
3 in federal court?

4 THE WITNESS: In federal court, toxicology and -- yes,
5 interpretation of the etiology of disease and specific and general
6 causation in the Mississippi case, yes.

7 In the Texarkana, it was a strange little case, and that
8 was, if I remember correctly, I wasn't board certified at that time
9 so it was really for the etiology of disease and specific and
10 general causation.

11 THE COURT: Okay.

12 BY MR. D'AMICO:

13 Q. As a causation expert, have you qualified in various courts of
14 law specifically in the areas of toxicology?

15 A. Yes.

16 Q. Anatomy?

17 A. Yes.

18 Q. Epidemiology?

19 A. Yes.

20 Q. Hematology?

21 A. Yes.

22 Q. Neuroanatomy?

23 A. Yes.

24 Q. Medical surveillance using laboratory procedures?

25 A. Yes.

1 Q. Performance and interpretation of health assessments?

2 A. Yes.

3 Q. Causation of diseases in communities and individuals
4 environmentally exposed to toxic chemicals?

5 A. Yes.

6 MR. D'AMICO: Your Honor, in connection with the proffer,
7 I'd like to tender the witness as an expert in toxicology, anatomy,
8 epidemiology, hematology, neuroanatomy, medical surveillance using
9 medical procedures, performance and interpretation of health
10 assessments, and causation of diseases in communities in
11 individuals environmentally exposed to toxic chemicals.

12 THE COURT: Counsel, would you prefer to go ahead and voir
13 dire this witness now on the area of expertise?

14 MR. WEINSTOCK: Yes, because it's going to be about five
15 questions, your Honor, so I just assume handle expertise very
16 quickly.

17 THE COURT: Okay. All right.

18 TRVERSE EXAMINATION

19 BY MR. WEINSTOCK:

20 Q. Were you qualified as an expert in epidemiology in federal
21 court?

22 A. No, no. That was -- that was in relation to the surveys in a
23 state court.

24 Q. And then I believe you said or counsel said that in Mississippi
25 you were qualified to testify as to specific and general causation?

1 A. That is correct.

2 Q. But Judge Barbier in a case in the Eastern District, either
3 there was some confusion either you weren't offering specific
4 causation opinions or the attorneys involved offered -- claimed you
5 were going to offer those opinions but those were not accepted; is
6 that correct?

7 A. No, that is not correct.

8 Q. Please tell us.

9 A. What happened in Judge Barbier's case, I was pretty shocked, it
10 was a summary judgment. The defense attorney wrote, and I don't
11 know what you call it, in a motion, if that's what it is, that
12 there was no physician that diagnosed the plaintiff with asthma.
13 That was false. And that there was no physician, he said there was
14 no physician who gave a deposition who diagnosed the plaintiff with
15 asthma and linked it to her occupational exposure. That was a
16 false statement in his motion.

17 He left out of his motion when he listed physicians
18 Dr. Michael Robicheaux, who was the initial treating and diagnosing
19 physician, who did indeed -- and I provided that --

20 Q. Doctor, I appreciate that, but can you get the court to the
21 part where Judge Barbier ruled on your opinion?

22 A. Well, he didn't. He ruled that there was no diagnosis because
23 the physician that was treating her at the time of whenever this
24 went to trial and they deposed him changed his diagnosis from
25 asthma -- he had been treating her for asthma for quite some time,

1 but when he went into a deposition he was quite, very adamant, he
2 called his patient a liar, he said she didn't have asthma, you
3 know, it was --

4 THE COURT: Dr. Williams, let's stick with it. I know
5 there are a lot of details you want to share with us, but we're
6 kind of getting off of the issue that Mr. Weinstock was asking
7 about.

8 BY MR. WEINSTOCK:

9 Q. I want to get to the actual point here. Are you saying that
10 you're qualified to give an opinion on specific causation?

11 A. I have been qualified many times to do, but I am not here to do
12 specific causation.

13 Q. And even though you're not a medical doctor, you can say in
14 your mind what caused a specific medical illness in a patient; is
15 that correct?

16 A. That is correct, yes. That's what the Federal Reference Manual
17 on Scientific Evidence does say toxicologists do make those calls.

18 Q. Toxicologists, not epidemiologists, that's your testimony?

19 A. Correct. Correct.

20 MR. WEINSTOCK: Thank you. That's all I have for
21 qualifications.

22 THE COURT: Mr. Miller, anything you would like to ask?

23 MR. WEINSTOCK: The only other thing I would say is we
24 briefed the rest of that and I don't want to waste the court's time
25 with that.

1 THE COURT: Yes.

2 MR. DINNELL: No questions from the United States, your
3 Honor.

4 THE COURT: Okay. Thank you, Mr. Dinnell. Go ahead, Mr.
5 D'Amico.

6 DIRECT EXAMINATION

7 BY MR. D'AMICO:

8 Q. Just to be clear and so that the record is abundantly clear,
9 you are not here today to give specific causation testimony as to
10 any individual in the alleged class, correct?

11 A. That is correct. I am only here for general causation.

12 MR. D'AMICO: Should I proceed with the questioning, your
13 Honor?

14 THE COURT: Yes, go ahead.

15 BY MR. D'AMICO:

16 Q. Doctor, I believe you have prepared a slide show presentation
17 for the court so we can give the court a brief premier on how
18 formaldehyde affects the human body, in particular children. Are
19 you prepared to give that presentation now?

20 A. Right. Someone has the --

21 MR. D'AMICO: I guess I should ask Brandi. Brandi, are
22 you prepared to give the presentation?

23 BY MR. D'AMICO:

24 Q. Okay. Doctor, if you would, please, tell the court, what is
25 formaldehyde?

1 A. It's a very small molecule --

2 MR. MILLER: Excuse me, your Honor, if I may object. I
3 just renew the government's objection to the use of the PowerPoint
4 presentation, and I have a continuing objection on that.

5 THE COURT: Right. So noted.

6 MR. MILLER: Thank you, your Honor.

7 THE WITNESS: Frank, this does not have a pointer on it.

8 MR. D'AMICO: Did we bring the pointer?

9 THE WITNESS: I have a pointer.

10 MR. D'AMICO: You have the pointer, okay.

11 THE WITNESS: Is it all right to use a laser pointer?

12 THE COURT: Sure.

13 BY MR. D'AMICO:

14 Q. Okay. What are we looking at here? And please, describe for
15 the court, what is the chemical composition of formaldehyde?

16 A. This is CH_2O with a double bond between the carbon and the
17 oxygen. It's an ultimate toxicant, that means it comes into the
18 body as a reactive electrophile, very ready to react, it is capable
19 of damaging cells and tissues. Most compounds have to be
20 biotransformed, or a lot of compounds, before they become the
21 active ultimate toxicant.

22 Q. Next slide, please.

23 A. Electrophiles, and we say it's a reactive electrophiles are
24 electron deficient and that double bond right there and the
25 electrons tend to not disburse properly and it doesn't have enough

1 electrons, so it has a partial positive charge so it's seeking
2 negatively charged molecules, sort of like a magnet attracting a
3 metal, and it's very indiscriminate in what it attacks.

4 Q. Next slide, please. Can you give examples of what formaldehyde
5 attacks inside our body, or is that --

6 A. Well, first. It has the property of forming cross-links. And
7 to give you an idea of what that means on a very lay language, this
8 is -- each of these yellow, I tried to highlight the form of
9 formaldehyde compound. And it has brought together these
10 molecules, these other molecules by forming cross-links and it's
11 very reactive in doing that. We use it in the lab, tissues,
12 cadavers to fix it, it's a fixative, preservative.

13 Q. Can you give us examples of formaldehyde attacking inside our
14 body?

15 A. Okay. Formaldehyde has the ability to form protein to protein
16 cross-links, DNA to protein cross-links. Back to the -- yeah. And
17 so we're interested in what kind of damage occurs from
18 formaldehyde, and in this instance it's an inhalation exposure
19 predominantly. We want to know what happens to the normal cells
20 that are lining the respiratory tract, and this is a picture of --
21 and I will identify what comes out of the studies for the defense
22 attorney who raised the question -- this comes from a textbook of
23 histology showing the normal cells that line the tract there,
24 cuboidal in nature with lots of little projections called cilia.

25 And the reason you have to have those cilia is you have a

1 long tube, which we'll see, that the cilia beat like rows of oars
2 and they all beat up and they bring particles and trash up, protect
3 the lower lung.

4 Q. Can DNA be repaired once these --

5 A. Wait, we have several slides to do.

6 Q. Oh, I'm sorry. Let's go ahead and go through the slides.

7 A. Keep going. This is when you say attack cell membranes, I
8 wanted to give a visual concept of a cell membrane. If you look at
9 this -- go to the next slide, please, or can I click them from
10 here, is that possible? Okay. Let me do them then.

11 These right here, this is one cell and this is cell
12 membrane, here is another cell and the cell membrane, and this is a
13 nucleus. And look at the railroad track appearance, and I just
14 want to quickly -- it's not working. Go to the next slide -- take
15 you to, this is what you're looking in a cell membrane. These are
16 phospholipids lined up -- you skipped one -- these are actually
17 chemicals so that that formaldehyde molecule can imbed itself in
18 the chemicals.

19 Next slide. This is a lung cell, Type II pneumocyte, and
20 this is a nucleus.

21 Next slide, please. This is representative of the DNA in
22 that nucleus, it's a double helix, it has to be pulled apart when
23 the cell is going to make copies to go in to daughter cells and
24 divide.

25 Next slide. This is a close up of it. I don't want you

1 to think these are just little structures, these are actually --
2 next slide, please -- molecules. And this again is more molecules
3 for the formaldehyde to attack and cause cross-links.

4 Next slide, please. And when it does, it does DNA protein
5 cross-links, you have proteins all surrounding the DNA, and this is
6 representative of the type of damage to DNA that formaldehyde does.
7 It will clump them and then when the cell is trying to make a copy
8 of that DNA, it's a bad copy, it's a mutant cell, it's an incorrect
9 copy and it can be a malignant cell.

10 Next slide, please. The part about the formaldehyde when
11 it forms those cross-links, as you saw in that sort of chicken wire
12 slide with it touching other and pulling in, is that it shares
13 electrons with other compounds. And it's permanent, it's
14 irreversible. You can't get it off. And that's the toxicity,
15 that's the mechanism underlying the toxicity.

16 Q. Dr. Williams, we've gone over this in your deposition and the
17 defense has heard it but the court is hearing it for the first
18 time, so if you would, please, as a result of this attack on other
19 molecules it forms protein to protein cross-links, DNA protein
20 cross-links or incorporates itself into macromolecules. Describe
21 that for the court. What is it about formaldehyde that makes it
22 particularly attractive to and attach to cell molecules?

23 A. It's because it has a positive charge and it's missing
24 electrons, so it's going to go after those electrons and those
25 chemicals whether it's in a membrane, whether it's inside the cell

1 or whether it's DNA, it's going to go after, it's seeking the
2 missing electrons, that's what it makes it reactive.

3 Something -- you know, we have other electrophiles, but
4 something like benzene has to go to the bone marrow and then be
5 made, biotransformed into the electrophile. This comes into the
6 body as a reactive toxicant.

7 Q. The fact that it chemically is or from a neutron perspective an
8 electrophile, is that one of the reasons why it's used in glues and
9 resins?

10 A. Oh, right. That's why we use it in cadavers, that's why we use
11 it in glues and resins. What you saw was a resin forming on that
12 slide that I showed.

13 Q. Now, once this attack is occurred by formaldehyde on the
14 molecules and these protein to protein cross-links, can the DNA be
15 repaired?

16 A. Well, yeah. It depends, you know, and I didn't do it a slide,
17 but cells have lots of things going on in what we call a cell
18 cycle. And if you hit it in the early part of the cell cycle, you
19 have time to repair it; if you hit it right before it's ready to
20 divide, it's just not going to have time to repair. So it depends.

21 You also have enzyme systems. If you don't overwhelm the
22 enzyme systems, it can repair. But if you overwhelm it at any one
23 point or you hit it right before the cell is going to divide, it's
24 not going to be repaired, then you have a daughter cell with a
25 mutant DNA.

1 Q. In fact, formaldehyde has been listed as a known human
2 carcinogen, hasn't it?

3 A. Yes. IARC in 2004 has listed, has decided that it is a Group 1
4 human carcinogen, known human carcinogen.

5 Q. Now, in your report, Doctor, you talk about completed exposure
6 pathways. What do you mean by completed exposure pathways?

7 A. Well, you have to get a chemical inside the body for it to do
8 damage, so the exposure pathways in this particular case you have
9 inhalation of formaldehyde in air, particles in dust.

10 Now, when I show the rest of the slides you'll understand
11 why you cannot have inhalation without ingestion, because anything
12 in dust or particles is going to be carried up by the cilia. And
13 then you also have the dermal absorption, not through the skin.
14 Formaldehyde is water soluble and your skin is a really good
15 protector of your body. You need to have a lipid soluble compound
16 to really go through the skin.

17 But it does go through the epidermis, the very thin
18 epidermis of the eye because you have a lot of water soluble
19 coatings of the eye and you have a lot of areas of the eye, the
20 lacrimal gland, the stroma of the cornea that are actually mostly
21 water and they can hold the formaldehyde in it.

22 Q. In fact, doesn't the literature state that because formaldehyde
23 is so water soluble and it is an electrophile, that once it hits
24 the moist environment in the throat it attaches and binds?

25 A. Yes, it can. Yes, it can. And we will see evidence of the

1 type of damage it does.

2 Q. Okay. Now, we talked about completed exposure pathways. Which
3 ones are applicable to the residents of the FEMA trailers with
4 formaldehyde exposure?

5 A. All three of them, I just gave them specific to this case.

6 Q. What happens to the formaldehyde once it is inhaled?

7 A. Well, we have studies, IARC, this is all from IARC,
8 International Agency for Research on Cancer, and this 93 percent is
9 absorbed in the nasal passages and once absorbed it is rapidly
10 metabolized. This is in humans. In the rat about 100 percent is
11 absorbed in the nasal passages.

12 Now, we, of course, to be able to do this kind of work you
13 have to do this in an animal study. We took C14 formaldehyde and
14 labeled and gave it to rats and then killed them. We can't do that
15 to humans, but we know that 40 percent is eliminated as CO₂ through
16 expiration from the lungs, 17 percent is excreted as formic acid in
17 urine, 5 percent is eliminated as formic acid in feces, and 35 to
18 39 percent remains in the tissues forming those cross-links.

19 Q. Those cross-links, protein cross-links that you described
20 earlier?

21 A. Correct.

22 Q. What happens to that 35 to 39 percent that remains in the
23 tissues?

24 A. Well, it depends on the tissue. It's permanent, it's
25 irreversible. And tissues have -- they all have their own renewal

1 rate where they get rid of old cells and new cells will come. So
2 they will remain there that time period sloughed or they'll just
3 remain, some tissues do not renew. Or if we have a hit in the DNA,
4 a mutant cell can be formed and it will remain from that time that
5 the cell divides then you have the mutant DNA in daughter cells.

6 Q. All right. This 35 to 39 percent that remains in the tissues,
7 is it incorporated into macromolecules such as exist in cell
8 membranes?

9 A. Yes. I showed the cell membranes, I showed the DNA, but it can
10 also attack enzymes. It can attack just about anything that has
11 electrons that it can hang on to.

12 Q. With that explanation I think we have the next slide, and I
13 would like you to describe for the court the respiratory tract as
14 it pertains to inhalation and transport of gaseous formaldehyde,
15 which is a known toxic substance.

16 A. Okay. Just to make sure everybody is on the same page and
17 these are from the Netter Medical Illustrationist, these are the
18 lungs, they're very delicate so they have the bony rib cage
19 protecting them.

20 Next slide, please. This is the trachea. If you stuck
21 your finger in your mouth all the way back until you start gagging,
22 you would find the pharynx, that's in the pharynx, it would divide.
23 In the front you would have the trachea, which is the tube, it's a
24 respiratory -- these are the respiratory airways. The
25 characteristic of this, that I'll refer to later on, is

1 cartilaginous rings with a -- the C-shaped ring, and the C is
2 connected by a smooth muscle.

3 Now, at this level it's pretty big. So the contraction of
4 this smooth muscle can't really obstruct the whole airway, but when
5 you get down -- next slide -- into these tiny little airways, you
6 can actually constrict the airway and you're wheezing

7 (DEMONSTRATING), you can't get air through, obstructive air flow.

8 It will terminate in little air sacs. If you had a pin, like a
9 sewing pin, the tip of it, that's about the size of it, you can
10 stick one in there. And you have millions of these little alveoli.

11 Next slide, please. There is a lot of blood vessels
12 bringing blood to and taking from, that's how we have exchange of
13 gases in the lung. So that when a toxic agent comes in, it can be
14 absorbed. If it gets down into the lung, it can easily absorb
15 through the lungs.

16 Next slide. If you looked at it on cross-section, you see
17 this is the air space, this is the blood vessels, so there's not
18 much there preventing transport of a gaseous substance into the
19 blood.

20 Next side, please. If you look at those little alveoli in
21 the diagram cross-section, this is what you would see. Each of
22 those would be the air sacs and here is the air tube, the
23 bronchial, and you'll notice these little hairs which represent the
24 cilia that I showed you earlier (INDICATING).

25 Q. All right. Is there an anatomic difference in an adult lung

1 from the lung of a child?

2 A. Yes, there is. I don't know if the next slide, let's see.

3 Yes. Here is the fetal lung. Here are the developing alveoli --
4 now a fetus doesn't breathe in a momma, it's using diffusion of
5 oxygen through water, so it's not totally developed.

6 Next slide. You have a newborn where you can see the
7 alveoli are not quite -- there really is a lot of tissue. And here
8 is a 12-year old child. The lung will continue to develop until
9 adulthood in size.

10 MR. WEINSTOCK: Your Honor, this is one of the slides we
11 had the objection about. The objection is, is the slide on the
12 left a newborn or stillborn?

13 THE WITNESS: No, this is a newborn. If you look at the
14 bottom is should -- human newborn is A, B is a 12-year old girl.
15 Now this is at death, of course, you're not going to be able to --

16 MR. WEINSTOCK: Right, let's hope. Did this newborn child
17 ever take a breath, do we know?

18 THE WITNESS: I don't know. I don't have that
19 information. But A is a human newborn and B is a 12-year old
20 child.

21 THE COURT: Okay.

22 BY MR. D'AMICO:

23 Q. When formaldehyde cross-links proteins in a cell, what does
24 that do to a cell or tissue of a cell?

25 A. Well, we're going to see in the next slide, I think we have,

1 we're going to see what it does to the tissues. This is, this is
2 from a reference slide from a histology textbook, and we're going
3 to start with what does the respiratory tract look
4 like cellularly --

5 Q. Normally.

6 A. Normally. And then we'll show what the formaldehyde attacks
7 from that.

8 Wait, go back to that last slide. I am starting with
9 outside, like the nostril, and I want to show this is a stratified
10 squamous epithelium just to make it simple like little rounded
11 cells just one on top of the other, a layer. And this is dead
12 cells, no longer have a nucleus. And if you would peel your nose
13 or seen on the feet, on the back of the hands. You should not see
14 this inside -- normally you do not see this inside the respiratory
15 tract. We are going to see that there are studies that show it.

16 Go ahead to the next after formaldehyde. This is normal
17 epithelium of the respiratory tract. Next slide, please. And this
18 is inside the nose where you have the hairs. Stratified squamous
19 epithelium has a job, it generates hairs which can filter out big
20 particles. And then -- next slide -- as we go to the back of the
21 nose, the nasal cavity, and the trachea, the lung, big tube I
22 showed, the bronchi and the dividing smaller little tubes entering
23 the lung, this is what you see. It's the pseudostratified ciliated
24 columnar epithelium, and this is the normal cilia and the normal
25 structure.

1 And disbursed in here are cells that secret mucus, they're
2 called goblet cells, and they need the mucus because that catches
3 mold spores or dust particles and helps the cilia carry them up to
4 be swallowed instead of going into the lung. Next slide.

5 Q. Let me stop you here. These slides that you're showing of the
6 normal airway tract, where did you get these slides, out of a
7 medical textbook?

8 A. These are from my medical textbooks. I am an anatomist and
9 have them all over the house, yes. This is from Bloom and Fawcett
10 probably or histology textbook and I don't remember the author of
11 it.

12 Q. Okay. Next slide, please.

13 A. Here is a close-up so you can see the rectangular cylindrical
14 and structure of the normal respiratory epithelium and here is the
15 cilia.

16 Next slide. Now, we're switching to what does
17 formaldehyde do to this normal tissue. And this, to answer the
18 gentleman's question, is from the article Holmstrom, et al,
19 Histological Changes in the nasal mucosa in Rats.

20 Q. Let me stop you there.

21 A. Okay.

22 Q. This was discussed in your deposition, wasn't it?

23 A. Correct. And these are the same pictures.

24 Q. They were provided to the defendants at your deposition, prior
25 to your deposition?

1 A. Correct. As pictures, Xerox pictures.

2 Q. All right. Go ahead.

3 A. And so this is the normal -- this is a rat study. This is the
4 normal pseudostratified ciliated columnar epithelial from the
5 article.

6 The next slide. Next slide, please. And this is the same
7 picture at top of the normal respiratory epithelium from the same
8 article. And here the rat's given formaldehyde had invasive
9 squamous cell carcinoma and keratin formation. And here is the
10 keratin (INDICATING). The reason I showed you the nostril with the
11 dead skin on the outside of the nose was because I wanted to show
12 the formaldehyde has made this a very abnormal malignant tissue now
13 with malignant cells, and they're making this abnormal sloughed off
14 epithelial layer inside the respiratory tract and that's not a good
15 thing, that's going to obstruct the air flow.

16 Q. For the court's edification, this is the Holmstrom, et al, 1989
17 study, Histological Changes in the nasal mucosa in Rats after
18 Long-term Exposure to Formaldehyde and Wood Dust?

19 A. Right.

20 Q. That's been provided to the court as part of the bench book.

21 A. Right. In this study of note, in the rats that were exposed to
22 both wood dust, which is also a Group 1 carcinogen, and
23 formaldehyde, they saw many with emphysematous changes in the lung
24 tissue. In the formaldehyde rats they saw two that had
25 emphysematous changes in the lung tissue. That is of concern

1 because rats are nose breathers only. Humans are nose and mouth
2 breathers, so it was a bit of a concern to me to see the
3 emphysematous changes. It's not anything that you can make a big
4 statement on, but it's something that we know from toxicological
5 studies what little things can mean a lot when you look at animal
6 studies like the thalidomide and things like that.

7 Okay. So that was just to show you that's what that tumor
8 is producing, and it's now a bizarre type of tumor without normal
9 cells and not even stratified squamous.

10 Next. The next study is on all three studies that I'm
11 going to show and was shown at my deposition, and all of the
12 pictures that I'm showing are from those studies. This is the
13 Holmstrom Histological Changes in the Nasal Mucosa in Persons
14 Occupationally Exposed to Formaldehyde Alone or in Combination with
15 Wood Dust.

16 This is a picture of one with the formaldehyde alone. And
17 this is the study that ATSDR, the Agency for Toxic Substances and
18 Disease Registry, used in determining its minimum risk level of 8
19 ppb. This is what happened in the workers in this study after they
20 had formaldehyde exposure, and basically the exposures began at
21 40 ppb and the average was 240 ppb. And so ATSDR used this, if you
22 give me the next slide, here is the normal on the left. The normal
23 respiratory epithelium from a textbook, histology textbook; here is
24 the same slide from an article.

25 We refer to this change from the normal respiratory

1 epithelium to the stratified squamous as metaplasia. It means a
2 normal tissue in an abnormal place.

3 Next slide.

4 MR. D'AMICO: Wait. You have a question on this?

5 MR. WEINSTOCK: Yes. I want to be clear, those two
6 pictures are not from the same study; is that correct?

7 THE WITNESS: Yes, I am still on that study, I'm still on
8 the Holmstrom study that ATSDR had used.

9 MR. WEINSTOCK: Go back to the past slide.

10 THE WITNESS: Go to the last one.

11 MR. WEINSTOCK: Those two pictures are both from
12 Holmstrom?

13 THE WITNESS: No. I said this is from a histology text,
14 this is from Holmstrom. On the left is from the histology text, on
15 the right is from Holmstrom, but we're still in that same study.

16 BY MR. D'AMICO:

17 Q. You're showing the difference between normal pseudostratified
18 ciliated columnar epithelium and now after exposure to
19 formaldehyde?

20 A. Correct. The metaplasia, correct.

21 Q. Let me stop you there also. You mentioned the ATSDR, the
22 Agency for Toxic Substances and Disease Registry, the ATSDR. Did
23 they publish a toxicological profile for formaldehyde?

24 A. Yes, they do.

25 Q. And is it ATSDR's mission to serve the public by using the best

1 science, taking responsive public health actions, and providing
2 trusted health information to prevent harmful exposures and
3 diseases related to exposures of toxic substances such as
4 formaldehyde?

5 A. Yes.

6 MR. MILLER: Objection, foundation.

7 THE COURT: Why don't you lay a foundation for the
8 question. I'll sustain.

9 MR. D'AMICO: Yes.

10 BY MR. D'AMICO:

11 Q. Describe for the court, what is the ATSDR?

12 A. The Agency for Toxic Substances and Disease Registry has the
13 mission of really working for the people. They do elaborate
14 toxicological profiles where they review voluminous amounts of
15 literature. They say they can't get everything, but they get a
16 lot -- you know, really, I think the one that I -- this one has
17 over 1,100 references in it. And they do a compilation.

18 And then they choose select studies that they think are
19 profound statements about the toxicology of the compound and they
20 develop what they call minimal risk levels; that is, for chronic
21 inhalation of exposure 365 days or more, you have -- they say that
22 non-cancerous health effects 8 ppb is the cutoff level. Above
23 that, you may develop non-cancerous health effects. They use this
24 study to determine those non-cancerous health effects and that
25 8 ppb.

1 If we can go to the next slide I can show you --

2 Q. Wait. We're laying a proper foundation.

3 A. Oh, okay. I'm sorry.

4 Q. Hold on, don't jump ahead, hold on.

5 A. Is --

6 Q. Wait, wait, let me ask a question. Hold on, please.

7 Is the ATSDR the lead federal public health agency
8 responsible for determining human health effects associated with
9 toxic exposures?

10 A. It's the lead information center to disseminating information
11 to communities, physicians. They do their toxicological profile
12 and develop these minimal risk levels to -- and they're not
13 regulatory in nature, but they are for residents, they are for
14 communities.

15 Q. And as a toxicologist, are you familiar with the toxicological
16 profiles published by the ATSDR, in particular the one on
17 formaldehyde?

18 A. Yes.

19 Q. Is it something as a toxicologist that you rely on regularly?

20 A. Oh, yes.

21 Q. Is it generally accepted in the relevant scientific fields of
22 toxicology as a leading publication and one that can be relied on
23 for authorship and authenticity?

24 A. Yes.

25 Q. Okay.

1 MR. D'AMICO: That's the predicate, your Honor.

2 THE COURT: All right.

3 BY MR. D'AMICO:

4 Q. Thank you, ma'am. Okay. Now, please, if you'll continue.

5 A. All right. Next slide. These are the observations that ATSDR
6 used in rendering its minimal risk level of 8 ppm --

7 Q. Billion.

8 A. Billion. They found at above 8 ppb you had loss of cilia from
9 the normal respiratory epithelium. You initially had goblet cell
10 hyperplasia, that means overgrowth, that means too much mucus so
11 you really have trouble beating those cilia up if you're just kind
12 of imbedded in mucus. So first you have too much.

13 Then you lose both the cilia and the goblet cells and you
14 have replacement of this normal respiratory epithelium with
15 cuboidal or squamous cell metaplasia, that means normal tissue in
16 the wrong place, which we just saw. And so they took this and they
17 made -- they took the average concentration in the workers studied,
18 which was 240 ppb, and they made a correction for -- they used the
19 lowest observed effects level so they corrected by a factor of
20 three; and then human variability, not everybody has the same
21 reaction at the same concentration, so they gave that a factor of
22 10. So they divide the 240 ppb by 30 and you get 8 ppb, that's how
23 they calculate. And that's their chronic minimal risk level of 8
24 ppb.

25 Q. Now, this Holmstrom 1989 study on the Histological changes in

1 the nasal mucosa in persons occupationally exposed, what levels did
2 the exposures begin at?

3 A. Forty ppb. And these are biopsy, if I didn't make that clear,
4 it's on the slide, these they actually took biopsies of the
5 workers' nasal mucosa.

6 Q. Was there a statistically significant increase of histological
7 changes from normal tissue that was observed in these workers?

8 A. Basically they were looking at -- there was a statistically
9 significant increase of histological changes.

10 Q. Yes, okay. I think another slide is coming up?

11 A. Next slide. This is the next article. There are three human
12 articles, and this is Edling, Occupational exposure to formaldehyde
13 and histopathological changes in the nasal mucosa. As a
14 toxicologist and in accordance with the Reference Manual on
15 Scientific Evidence of the Federal Judiciary Center, you want to
16 see multiple studies finding the same thing, and so I've included
17 three human studies.

18 Here on the left is the nasal respiratory epithelium
19 cylindrical cells with cilia, normal, from the article by Edling and
20 also given at my deposition. And here you see again a metaplasia,
21 you have the wrong type of tissue in the place.

22 And when you have the -- even though at this point it's
23 still a normal tissue, but you can't -- it cannot do the function,
24 it's damaged, it cannot do the function of protecting the lower
25 lung of getting allergens and dust and particle and toxin out of

1 that respiratory tract. Damage has been done. The reason these
2 are not the normal tissue is the electrophile has hit this so hard
3 that we've lost it. And so the body in an attempt to respond has
4 responded with something else.

5 Q. Now, this Edling study, this was published on Occupational
6 exposure to formaldehyde and histopathological changes in nasal
7 mucosa, it was published in the British Journal of Industrial
8 Medicine?

9 A. Yes.

10 Q. Is that a recognized journal in the field of toxicology?

11 A. Yeah, it's one of the oldest ones, because Britain really had
12 occupational medicine before we had it developed over here in the
13 early part of the century.

14 Q. I think it's important to point out for the court that Edling
15 actually performed two millimeter nasal biopsies on 72 men --

16 A. Correct.

17 Q. -- who worked at this particle board processing plant, correct?

18 A. That is correct.

19 Q. And exposure of the men were in the range of, what, 81 ppb to
20 894 ppb?

21 A. Correct, correct. And 59 had metaplasia. But if you go to the
22 next slide I think I have six of them had what we call dysplasia,
23 the next couple of slides. This is -- well, this is Boysen, we
24 started with another one. Okay. Go ahead. This is the next
25 study.

1 Q. I want to say with that.

2 A. Go back to Edling. With Edling we had -- this is metaplasia,
3 but it does have six of them had what I'll show you in the next
4 slides, something called dysplasia where we now -- we have an
5 abnormal tissue and that occurred here, I don't have a picture of
6 that at this point.

7 Q. Of the 72 men that had nasal biopsies, is it true that only
8 three had normal ciliated pseudostratified columnar epithelium?

9 A. That's correct.

10 Q. Only three of 72 had normal, everybody else had damaged
11 epithelium?

12 A. That's correct.

13 Q. In ranges of 81 ppb to 894 ppb?

14 A. That is correct.

15 Q. Okay. Next.

16 A. Then the third and last study of the human nasal biopsies, this
17 is the "Nasal mucosa in workers exposed to formaldehyde: a pilot
18 study." This is the British Journal of Industrial Medicine also,
19 1990. On the left you see the normal respiratory epithelium, on
20 the right you see the damaged stratified squamous epithelium.

21 If you go to the next slide --

22 MR. D'AMICO: Do you have a question?

23 MR. WEINSTOCK: I'm sorry. Again, are these photographs
24 both from the Boysen study?

25 THE WITNESS: This is from the Boysen study on the right,

1 the damaged epithelium, and was provided at my deposition. This is
2 from the same histology text.

3 MR. WEINSTOCK: On the right?

4 THE WITNESS: On the left.

5 BY MR. D'AMICO:

6 Q. What you did is you got slides of a normal anatomy to compare
7 it to the slides that were provided in your deposition?

8 A. Right. I did not think defense counsel, you know, had a lot of
9 histology, or plaintiff counsel either, so I thought maybe I would
10 put the normal from a histology text just so you can, your eye
11 could see the difference immediately. Here you have the
12 rectangular structure, here you have your stratified squamous
13 (INDICATING).

14 MR. WEINSTOCK: Were you able to get the same
15 magnification?

16 THE WITNESS: No, I don't think they are the same
17 magnification. It's just for illustrative purposes that you see
18 the rectangles here, you see it's a circular arrangement, and you
19 can also read what the caption is.

20 BY MR. D'AMICO:

21 Q. Okay. Next.

22 A. Here we have a slide that has a normal, again, the same picture
23 of the normal respiratory epithelium; here we have, again, the
24 damaged epithelium which is more -- you begin to see a thin layer
25 of dead cells on the top of this stratified squamous epithelium.

1 And the next slide shows you a dysplasia which I have been
2 referring to. Here is the normal, here is -- this is an abnormal
3 epithelium, the epithelium is so damaged that now it's making
4 abnormal cells. This would be a premalignant type, it could be a
5 premalignant type state. Right now we're just saying it's a
6 dysplastic cell, it's an abnormal tissue.

7 Q. All right. Now, this Boysen study published in 1999, nasal
8 mucosa in workers exposed to formaldehyde: a pilot study. Is that
9 also in the British Journal of Industrial Medicine?

10 A. Yes. And there were 28 workers, nine of them had a
11 hyperplastic nasal mucosa, and three had this dysplasia.

12 Q. As well as keratinizing stratified squamous epithelium, was
13 that also described?

14 A. Right. In there we're beginning to see it here and in the last
15 slide.

16 Q. Just so we understand you, again, could you explain for us the
17 term metaplasia and dysplasia?

18 A. Metaplasia is when you have a normal tissue but it's in a wrong
19 location. So it's substituting for what should be there, which
20 means the damaged tissue can no longer perform the functions that
21 it was set up to do, such as the pseudostratified ciliated columnar
22 epithelium of protecting the respiratory tract.

23 Q. Are you finished with that part of the slides?

24 A. Uh-huh. Yes, I'm good.

25 Q. What does all of this tissue change mean for the children

1 exposed in the temporary housing units, and how does dose and
2 duration affect those calculations?

3 A. Well, these are changes that are well documented as a result of
4 the damage for the electrophile on the normal tissue of the
5 respiratory tract. Now, if you're asking specific to the children,
6 we have no nasal biopsies on the children, that would be the only
7 way we could see this. We have their symptoms.

8 But basically they would be losing their cilia that would
9 allow them to keep particles and dust and mold spores and other
10 allergens out of the respiratory tract. They would either have an
11 increase in mucus which would hold the antigens in and make it more
12 efficient for producing allergies or asthma. They would then lose
13 this structure and not be able to perform any of those functions to
14 protect their little lungs at the bottom. Things would more
15 efficiently go to the lungs where they might not get there previous
16 to that.

17 You would have loss, as I said, the normal epithelium.
18 Carbon based toxicants such as formaldehyde increase the
19 infectivity of bacteria, so they could have more upper respiratory
20 infections, as well as lower respiratory infections.

21 And then formaldehyde does something very unique. It hits
22 a particular gene, p53 tumor gene; and when it does, the response
23 to the cell, because it recognizes DNA damage, is -- it can be many
24 things, but one of the things it does is called apoptosis. It's a
25 toxicologist world with apoptosis. This is when the cell tells

1 itself we've been hit, let's die in an orderly fashion. And so
2 apoptosis can occur and you'll have cell death. In a small child
3 where their lungs are still developing, this could affect the
4 cytoarchitecture of those delicate alveoli in the lungs. And then
5 of course dysplasia can lead to a premalignant or malignant tumor.

6 Q. You mentioned apoptosis. What is that, Doctor?

7 A. I put a few slides because it's such a strange word and most
8 people aren't familiar with it. It's orderly programmed cell
9 death. It's the body's attempt to get rid of a damaged cell before
10 it would cause, as opposed to necrosis. I always describe necrosis
11 if you popped a balloon and you released a lot, all of the cell
12 contents and then you're going to have an inflammatory reaction
13 which is not good for the body.

14 Apoptosis, if we go to the next slide, is an orderly way
15 for cell death. Here is a cell that's beginning to go through
16 apoptosis and it breaks down into little blebs, membrane bound
17 blebs -- next slide -- and those blebs can now be orderly
18 phagocytized by macrophase which has the job of doing that.

19 Next slide. And this illustrates, why is this a benefit?
20 Well, here is chemotherapy which is an agent that does that because
21 you don't want all of those cancerous cells that you're killing to
22 just pop like a balloon and cause inflammatory reaction then you
23 have more disease. So it's an orderly way to kill the cell and get
24 rid of it.

25 Q. Can you give us an example of this apoptosis with formaldehyde?

1 A. Well, basically formaldehyde is known to do this. It hits that
2 p53 gene and so it is known to do that.

3 Aging people, an example would be in aging. We have a lot
4 of endogenous, that means made within our body under normal
5 circumstances; and we have a big enzyme system that rapidly, the
6 half life is a minute each, rapidly takes care of it in the blood,
7 okay. But then, of course, it's going into tissues as cross-links
8 and it's going to be excreted. But with the elderly, formaldehyde
9 requires aldehyde dehydrogenase to break it down, and they begin to
10 lose that as they get old; and it is believed that what's happening
11 is they can't handle it and you have increased apoptosis, they
12 can't get rid of it or break it down rapidly and so it's believed
13 to be a part of the aging process in organ failure where the organs
14 are losing their normal cellular content.

15 Q. In your report you compare the concentrations of formaldehyde
16 in the trailers to the minimal risk levels of ATSDR. Why did you
17 choose ATSDR levels as opposed to some other governmental
18 regulation?

19 A. Because they are specific for residents, for community people.
20 OSHA is the regulatory arm for the workplace. NIOSH is the
21 scientific arm for the workplace. Now, NIOSH is, you know,
22 basically makes recommendations to OSHA and then OSHA takes those
23 recommendations in consideration, but also considers the fact that
24 in a workplace a worker is given personal protective equipment,
25 such as respirators, particular protective personal wear, as well

1 as industrial hygiene, monitoring and industrial hygiene methods to
2 control emissions in a workplace. Whereas residents have none of
3 that. So ATSDR is more applicable to residents who don't have
4 respirators, don't have personal protective equipment, and don't
5 have industrial hygiene controls.

6 MR. WEINSTOCK: Objection, your Honor, to the foundation.
7 I believe Mr. D'Amico referred to ATSDR as a regulation and was
8 asking about other regulations. I don't believe ATSDR is a
9 government regulatory agency.

10 THE COURT: I think she already testified, Dr. Williams
11 had testified when you laid a foundation in connection with
12 Mr. Miller's objection, so I'll sustain the objection as to the
13 characterization. I don't think that it was consistent with what I
14 understood Dr. Williams' explanation of ATSDR to be.

15 BY MR. D'AMICO:

16 Q. Let's explore that a little bit. NIOSH isn't a regulatory
17 board, is it?

18 A. No. It's an academic scientific component that makes
19 recommendations to OSHA for workers.

20 Q. And OSHA is the regulatory branch of that scientific chain?

21 A. Right. OSHA decides what level they will allow in the
22 workplace for the workers.

23 Q. And the ATSDR has no governmental or regulatory authority,
24 correct?

25 A. No, it has none. It makes recommendations, it gives minimal

1 risk levels. Now, a lot of those minimal risk levels you will see
2 in state, in local like DEQ and those who are in charge of making
3 state regulations do use for the community residents, they do
4 base -- a lot of them are based on the ATSDR, but there is no
5 regulatory enforcement with ATSDR.

6 Q. Right. And my question, although inartful, what I was
7 attempting to elicit, the reason you chose ATSDR as opposed to a
8 governmental regulatory standard such as set forth by OSHA is for
9 the reasons you stated?

10 A. Correct.

11 Q. What criteria did ATSDR use for its chronic MRL of 8 ppb?

12 A. Well, I had them on the slide. They use the loss of cilia,
13 they use the hyperplasia of the goblet cells, and they use the
14 metaplasia, the change or the epithelium was damaged and changes
15 from the respiratory epithelium to the stratified squamous
16 epithelium or cuboidal epithelium, that's what they used at 8 ppb.
17 What they tried to do is find the first change of non-cancerous
18 health effects and that's where they set it to try to give guidance
19 not to use levels above that because then you may see those
20 changes.

21 Q. Is formaldehyde --

22 THE COURT: Mr. D'Amico, we're about an hour in.

23 MR. D'AMICO: I'm about 45 minutes is what we have.

24 MR. MEUNIER: You're an hour into it.

25 THE COURT: You're an hour into the hour and a half that

1 we had allotted for examination. I am just giving you a reminder.
2 You can go as long as you want up until the 90 minutes.

3 MR. D'AMICO: Then let's speed it up. Thank you for the
4 reminder, Judge.

5 BY MR. D'AMICO:

6 Q. The formaldehyde, is it a sensitizer?

7 A. Yes, it is.

8 Q. Is there an authority or citations or any studies that support
9 your contentions that formaldehyde is a sensitizer?

10 A. Yes. The Wantke article published in the Clinical &
11 Experimental Allergy, 1996, Exposure to gaseous formaldehyde
12 induces IgE-mediated sensitization to formaldehyde in school
13 children.

14 And there's the Krzyzanowski which is Chronic respiratory
15 effects of indoor formaldehyde exposure, where he's found that
16 significantly greater prevalence rates of asthma and chronic
17 bronchitis in children with formaldehyde exposure levels at 60 ppb.
18 In the Wantke study it was it was at 43 ppb.

19 Q. In Wantke, that is an Exposure to gaseous formaldehyde induces
20 IgE-mediated sensitization to formaldehyde in school children, is
21 that the title?

22 A. That's correct. And he was at levels of 43 ppb, 69 and 75 ppb.

23 Q. 43 ppb to 79 ppb.

24 A. 75 ppb.

25 Q. Where was that published, Doctor?

1 A. I gave that reference, *Clinical & Experimental Allergy*, 1996,
2 Volume 26, pages 276 to 280.

3 Q. And if we can look to the bottom at the conclusions. I don't
4 know if the court can see it. Do we have a copy of it that we can
5 hand to the court, Brandi?

6 THE COURT: I've gotten a copy of the slides.

7 MR. D'AMICO: Your Honor, I have a copy. I don't know if
8 you've seen this. May I approach? It's hard to see on the ELMO,
9 we didn't put it into the slide presentation.

10 THE COURT: Do we have a copy for counsel?

11 MR. D'AMICO: It was cited in their -- their expert's
12 cited to these studies in their reports.

13 MR. MILLER: Are you marking this as an exhibit, Frank?

14 MR. D'AMICO: Yeah, we'll mark it as an exhibit, that's
15 fine. Patricia Williams 1. They were cited to by the defendant's
16 experts.

17 MR. WEINSTOCK: No, don't do that. Just pick a random
18 number.

19 THE COURT: I think we were following chronologically.

20 MR. D'AMICO: Oh, okay. Well, whatever the next exhibit.

21 THE COURT: Whatever is next number on the list.

22 MR. D'AMICO: Exhibit next, your Honor.

23 THE COURT: Tell us what number it is. I've got, oh, it
24 was through letter F.

25 MR. WEINSTOCK: P-80 I believe is the next number.

1 MR. D'AMICO: P-80.

2 THE DEPUTY CLERK: What is it, P-80?

3 MR. D'AMICO: Eighty, eight zero, yes.

4 BY MR. D'AMICO:

5 Q. If we can look to the conclusion on the first page at the
6 bottom, Doctor. "Conclusion: Gaseous formaldehyde, besides its
7 irritant action, leads to IgE-mediated sensitization. As children
8 are more sensitive to toxic substances than adults, threshold
9 levels for indoor formaldehyde should be reduced for children."

10 Please discuss that for the court and explain the
11 significance of these findings.

12 A. Well, I think that with the IgE sensitization and the asthma,
13 asthma is very debilitating to a child, and he is basically saying
14 that we know that it produces IgE-mediated sensitization, that's
15 your classic form of bronchial -- that's one type, way that
16 bronchoconstriction can occur through formaldehyde.

17 Q. And did you look at the parameters of this study to see if it
18 met scientific muster in your opinion?

19 A. Oh, yes, absolutely.

20 Q. You find it to be reliable?

21 A. Yes.

22 Q. You also mentioned another study, the Chronic respiratory
23 effects of indoor formaldehyde exposure by Michael Krzyzanowski,
24 correct?

25 A. Correct.

1 Q. And that was in Environmental Research, 1990?

2 A. Correct.

3 Q. And I think we already provided the court a copy of that. What
4 were the conclusions of that study?

5 A. That the effects in -- let's see. That significantly greater
6 prevalence rates of asthma and chronic bronchitis were found in
7 children from houses with formaldehyde levels starting at 60 ppb up
8 to 120 ppb. And the effects of asthmatic children exposed to
9 formaldehyde below 50 ppb were greater than in healthy ones.

10 Q. Did it also find, Doctor, that the diseases diagnosed by a
11 physician, asthma and chronic bronchitis, were more prevalent in
12 houses with higher formaldehyde levels, for instance, the
13 prevalence rates of chronic bronchitis was related to formaldehyde
14 levels measured in various locations in the houses --

15 A. Yes.

16 Q. -- however the log-linear analysis revealed that all of these
17 relations were due to increase prevalence rates of the diseases in
18 residents of houses with high over 60 ppb levels of formaldehyde in
19 the kitchens. Is that correct?

20 A. Yes, I said that, yes.

21 Q. What is the significance of that?

22 A. Well, the significance is that formaldehyde can cause
23 asthmatic -- asthma in children.

24 Q. Okay. What levels of formaldehyde was associated with asthma
25 in children in these articles?

1 A. Well, you have in the Wantke article it started, the lowest was
2 43 ppb; in the Krzyzanowski article it started at 60 ppb; and in
3 the Rumchev, which is a third article, it started at 49 ppb.

4 Q. Did those articles also stand for the proposition that children
5 are affected more seriously by low-level exposures than adults?

6 A. Yes.

7 Q. Explain that to the court, why is that a fact?

8 A. Well, children are a more susceptible population. ATSDR
9 recognizes that, EPA recognizes that, so does IARC. They inhale
10 more air for body weight and more frequent breathing than an adult,
11 so they can have more contaminant reaching the airways where the
12 asthma could be provoked.

13 Q. Does formaldehyde cause bronchoconstriction?

14 A. Yes. One way is with the IgE sensitization which we talked
15 about. There is another way for that to occur.

16 Q. I think you have a slide that demonstrates this.

17 A. I do?

18 Q. Yeah.

19 A. I had already mentioned that when I talked about -- okay. The
20 other pathway, we talked about the smooth muscle here and that, of
21 course, when that constricts the asthma, the wheezing, the
22 obstruction of air flow.

23 Next slide, please. This is the pharynx and the nasal
24 cavity, and the other way that is not IgE-mediated is a trigeminal
25 vagal reflex. I know those are big words, but these are cranial

1 nerves that come from inside the brain and it's the trigeminal
2 nerve that innervates the nasal passages. And when the irritant
3 effect hits that nasal passages, the trigeminal nerve sends sensory
4 impulses into the brain and sends -- the vagal then is a motor to
5 all of those little smooth muscles by those cartilaginous rings,
6 and they contract and they do that to protect the noxious agent
7 from getting down into the lung. So you have the
8 bronchoconstriction in that way, too.

9 MR. D'AMICO: In connection with the testimony, we would
10 also like to mark and identify as P-81 the Michael Krzyzanowski
11 article that was referred to by Dr. Golden. It's not part of our
12 bench book, that's why we're attaching it.

13 THE COURT: Any objection from counsel?

14 MR. WEINSTOCK: Your Honor, at this point I assume we have
15 a fairly relaxed standard for what we're putting into the record.
16 I mean, normally things like studies we both put in have not been
17 objected to, so I am not going to start that now, it's a bench
18 hearing.

19 THE COURT: That's fine.

20 MR. D'AMICO: And it's referred to by their experts also.

21 BY MR. D'AMICO:

22 Q. Dr. Williams, in 1999 were you as the director of the
23 Occupational Toxicology Outreach Program the recipient of funding
24 for a medical intervention program for asthma through a consent
25 decree in federal court involving Bayou Steel Corp. in St. John the

1 Baptist Parish?

2 A. Right. I received \$163,000 to implement a one-year asthma
3 study of adults and children and basically an intervention program.

4 Q. What was accomplished for those children in that intervention
5 program?

6 A. Well, for both adults and children I had a computer program
7 developed to help them recognize the symptomology of the
8 respiratory diseases so that they could be diagnosed in the
9 emergency room. They were using it as their doctor instead of
10 having a doctor and history is required. So that was the first
11 thing.

12 The second was a camp where we taught them how to
13 recognize their asthma triggers. I had 1 to 1, maybe a 1.2 to 1
14 ratio of medical personnel and respiratory therapists to each child
15 because these were severe asthmatics, they had never even spent the
16 night away home. We thought them to recognize their triggers, we
17 thought them all about asthma, and for the first time some of them
18 were able to go swimming, play football, went into the woods to
19 look for their asthma triggers. It was a remarkable accomplishment
20 of showing if you understand the disease and you learn how to
21 manage it, you can prevent it debilitating your life.

22 After that I took, when that was over I took that program
23 to our pulmonary care clinic because I didn't want to lose what we
24 learned, and we put it into play for all of our patients on a
25 routine basis with our physicians.

1 Q. Doctor, what were the results of medical intervention program?

2 A. If you'll switch to the last slide -- there -- go to the next
3 one. Go to the next one, please. We measured --

4 MR. WEINSTOCK: I'm sorry, this one we just flat out
5 object to because we haven't seen any of this before, we haven't
6 gotten any of the documentation, we've never gotten a chance to
7 cross-examine the doctor about any of this.

8 THE COURT: Is this part of the opinion that was
9 originally submitted to counsel, Mr. D'Amico?

10 MR. D'AMICO: It's part of her original opinion, but she
11 discussed it in her report and she was questioned about it at her
12 deposition.

13 THE COURT: Wait. That's exactly what Mr. Weinstock is
14 telling me didn't happen. Where is this? Show me in --

15 MR. WEINSTOCK: This data was part of her report?

16 THE COURT: Wait, Mr. Weinstock. Show me where,
17 Mr. D'Amico, show me where it is in the opinion. Pull out a
18 copy --

19 MR. D'AMICO: Okay. I don't know if the actual data was
20 in there, but the opinion does express these opinions. But that's
21 all right, we'll withdraw it. For brevity sake I don't want to
22 belabor the point. We'll take it off.

23 BY MR. D'AMICO:

24 Q. Doctor, have you seen the Children's Health Fund, Legacy of
25 Shame, The On-Going Public Health Disaster of Children Struggling

1 in Post-Katrina Louisiana?

2 A. I have read it.

3 Q. You've read that. And that has been admitted by the court
4 already. I'd like you to look at the urgent recommendations as
5 stated on page 14. And tell the court if you concur with those and
6 if you believe that a medical intervention class as we've, subclass
7 as we've proposed for the children, in your opinion would benefit
8 them based on your experience with Bayou Steel case.

9 A. Oh, absolutely. And even more so with that and what we did in
10 Shreveport, it certainly raised their quality of life and saved
11 healthcare costs. 117 patients we saved \$212,000 just on their
12 lack of going to the emergency room and doctors visits in only a
13 ten-month period.

14 Q. Under the Urgent Recommendations, can you see at bottom: "The
15 data supporting our sense of urgency in addressing this ongoing
16 public health crisis effecting Katrina displaced children is
17 compelling. We implore state leaders and other public officials to
18 recognize this crisis as a priority and a matter for urgent action.
19 To meet the immediate medical needs of these children and address
20 the challenge of reintegrating all of the displaced children and
21 families in the Gulf region, the following actions must be taken:"

22 Do you agree, Doctor, with the suggestions and urgent
23 recommendations here as spelled out in this study?

24 A. Yes, I do.

25 Q. For brevity sake, the court has a copy of it and we won't go

1 through each and every one. But I assume that's true of the all of
2 the urgent recommendations, Doctor?

3 A. Yes. It was a good recommendation.

4 MR. D'AMICO: Judge, my clock shows we have 15 minutes,
5 I've gone an hour and 15 minutes with this witness. I would like
6 to save it. Thank you. Tender the witness.

7 THE COURT: All right. You will have the opportunity to
8 redirect if you want. But the 15 minutes remaining, I've actually
9 got a little bit more, 18 minutes.

10 MR. D'AMICO: I have 20, 18, every second counts.

11 THE COURT: All right. But that's remaining for all
12 witnesses, including any redirect you would like to have with
13 Dr. Williams. Mr. Weinstock, would you begin.

14 MR. WEINSTOCK: Good morning, your Honor, again.

15 CROSS-EXAMINATION

16 BY MR. WEINSTOCK:

17 Q. Dr. Williams, Andy Weinstock for the manufacturing defendants.
18 Doctor, you're aware that there was a Daubert challenge to your
19 testimony?

20 A. Yes.

21 Q. Did you review the challenge that the defendants prepared?

22 A. Yes.

23 Q. And the plaintiffs filed an opposition to that challenge?

24 A. Yes.

25 Q. Have you reviewed that document as well?

1 A. Yes.

2 Q. Okay. I would first like to start by asking you a few
3 questions regarding class.

4 MR. WEINSTOCK: Your Honor, we do have a slide show but
5 it's really not a slide show, it's just blown up portions of her
6 report and testimony, if necessary. It's not anything no one has
7 seen before, with the exception of some impeachment that may or may
8 not come up.

9 THE COURT: Okay.

10 MR. D'AMICO: Objection. Is that a question? That seems
11 like argument.

12 THE COURT: No, I think it was --

13 MR. WEINSTOCK: I think it was a statement to the court as
14 to what we're doing.

15 THE COURT: -- stating to me what his intentions were with
16 regard to the examination, so I'll overrule it. Go ahead.

17 BY MR. WEINSTOCK:

18 Q. Would you agree with the following statement: There are large
19 individual differences in the normal population and between
20 hypersensitive and sensitized people?

21 A. Yes. It's a bell shaped curve. Any type of response is going
22 to have individual differences. You have on one end the
23 hypersensitive, on the other end the not sensitive.

24 Q. So the answer is you would agree with that statement?

25 A. I would agree with that statement.

1 Q. In fact, you wrote that statement in your report, correct?

2 A. That is correct. That's a toxicological fact.

3 Q. Would you also agree with this statement: There are several
4 indoor environmental sources that can result in human exposure to
5 formaldehyde, including cigarettes and tobacco products and smoke,
6 furniture containing formaldehyde resins, adhesives containing
7 formaldehyde used for plastic surfaces and parkay, carpets, paints,
8 disinfectants, gas cookers and open fireplaces. Would you agree
9 with that statement, Doctor?

10 A. Yes.

11 Q. And, in fact, you wrote that statement as well in your report,
12 did you not?

13 A. That is correct.

14 Q. In the opposition to your Daubert challenge, on page 8 the
15 plaintiffs state: "Defendants," which I guess means me and others,
16 "disingenuously mislead the court as to what constitute
17 Dr. Williams' opinions. Dr. Williams clearly lists the opinions
18 she is offering on page 34 of her affidavit as follows:"

19 Is that correct, are the opinions you're giving this court
20 on page 34 of your affidavit?

21 A. They are. And also the general -- and also if you look with
22 the general causation is inherent to it and that's earlier than
23 page 34. I have like -- I think it's page 5 through 8, somewhere
24 in there, if you just wait a minute, with the general causation
25 methodology. And of course there is a general causation opinion

1 that there are, there is sufficient scientific evidence to support
2 the symptomologies and health effects of formaldehyde in the
3 scientific literature.

4 Q. So this statement is incorrect, there are more opinions than
5 those just on page 34; is that correct, Doctor?

6 A. No. If you'll let me pull my affidavit, it's inherent to it.
7 You just talk about location.

8 Q. Do you need a copy of page 34, Doctor?

9 A. No. I need this. No, it's also repeated on 34, I wasn't sure.
10 It does include it.

11 Q. And if we can go to -- is it correct on page, in Document 924
12 that your use of studies was merely illustrative and did not form
13 the basis of your opinions?

14 A. What?

15 Q. Is that a correct statement? Is it correct that your use of
16 studies was merely illustrative and did not form the basis of your
17 opinions?

18 A. The use of the studies was the basis of my -- of my references,
19 my 2,500 references contained in 52 that I've listed forms the
20 basis for my general causation opinion.

21 Q. So that statement is incorrect?

22 A. I didn't write that statement. I don't know. I haven't see --

23 Q. I am just trying to get to what we're here about.

24 A. Right.

25 Q. This is something that's been suggested to the court, and

1 you're telling us that that's an incorrect statement; is that
2 right?

3 A. If you're forgetting that I made general causation, that
4 study -- I don't like that statement, I didn't write it.

5 Q. Fair enough. If we can go to your opinions then on page 34.
6 And it's hard to read, but, your Honor, it is in P-24. And if we
7 can, I'll read it, but, first, "The plaintiffs have numerous
8 symptoms and/or asthma and/or allergies that are common to the
9 plaintiff population and typical of the scientifically documented
10 adverse health effects caused by formaldehyde." I've read that
11 correctly?

12 A. You have read that. And that's the general causation
13 statement.

14 Q. Second: "The plaintiffs have the potential for extensive toxic
15 exposure as a result of their exposure to formaldehyde in their
16 residential environment." Did I read that correctly?

17 A. You did.

18 Q. Third, there are -- I'm sorry, see, I was going to read that
19 one wrong. Third: "There are completed exposure pathways that are
20 capable of producing toxic exposures to formaldehyde with resultant
21 adverse health effects in the plaintiff population." Did I get
22 that one right?

23 A. That is correct.

24 Q. Fourth: "The adverse health effects reported by the plaintiffs
25 are consistent with those documented in the scientific literature

1 to result from exposures to formaldehyde."

2 A. Yes. And that's also the general causation.

3 Q. "The appearance of symptoms and/or asthma and/or allergies are
4 temporally associated with the contaminated residential environment
5 of the plaintiff population as listed on the plaintiff fact sheet."

6 A. That is correct.

7 Q. And last but not least: "Given the IARC classification of
8 formaldehyde as a Group 1 Human Carcinogen and the scientifically
9 documented literature demonstrating the strength of association of
10 formaldehyde and cancer, along with the documented measures of
11 formaldehyde exposure - the plaintiffs have a legitimate fear of
12 cancer."

13 A. That's correct.

14 Q. Which one said, "and the plaintiffs need an education program
15 for asthma"? Is that on this page or is that part of your general
16 causation?

17 A. No, that is not. That was asked in the deposition and
18 answered.

19 Q. So that's not an opinion you've given on page 34, correct?

20 A. No, I did not write it down on page 34.

21 Q. You reserved the right to submit any additional affidavit if
22 deemed necessary.

23 A. Yes.

24 Q. Have you submitted any additional affidavits?

25 A. No.

1 Q. Let's go to the studies, if we can. Briefly. Hopefully.
2 First, you state that the relative risk, in talking about the
3 Hauptmann study, which is D-251, and that is the --

4 A. Just a minute. All right. Which study?

5 Q. Hauptmann.

6 A. H-A-U-P-T-M-A-N (SIC) or Holmstrom? I don't have a copy of the
7 Hauptmann.

8 Q. H-A-U-P-T-M-A-N-N.

9 A. I don't have a copy of that with me. I'll have to see what you
10 have there.

11 THE COURT: Is there a copy handy that we can give to the
12 witness?

13 MR. WEINSTOCK: Yes, sir, your Honor. If I can approach,
14 your Honor.

15 THE COURT: Yes.

16 MR. WEINSTOCK: I am going to give you copies of both of
17 them and we can talk about them in conjunction.

18 BY MR. WEINSTOCK:

19 Q. You stated that the relative risk for the highest category of
20 peak exposure, that being above 4 ppm or 4,000 ppb, was 2.9 (SIC)
21 for all leukemia and 3.46 for myeloid leukemia, is that correct, on
22 page 17 of your report --

23 A. I didn't make any statements in my deposition.

24 THE COURT: Let him finish the question, Doctor.

25 THE WITNESS: Oh, okay.

1 BY MR. WEINSTOCK:

2 Q. On page 17 of your report, did you not report to us that the
3 relative risk for the highest category of peak exposure above 4 ppm
4 or above 4,000 ppb was 2.46 for all leukemia and 3.46 for myeloid
5 leukemia?

6 A. That came from IARC, yes. I reported -- that's from IARC 2004,
7 I didn't go to the individual, I was doing a compilation of IRAC's
8 information.

9 Q. So if I'm understanding correctly, you've cited the Hauptmann
10 reports but you have not reviewed the Hauptmann reports?

11 A. IARC cited the Hauptmann report.

12 Q. You've cited part of the IARC report --

13 A. Correct.

14 Q. -- of Hauptmann in your report, but you have not reviewed the
15 individual Hauptmann paper?

16 A. I've read it, but my -- in this particular report this was
17 giving a compilation of IRAC's presentation of Hauptmann's
18 articles.

19 Q. Just so I am clear so I understand, the relative risk that you
20 found that you pulled from IARC was for those in the exposure group
21 with peak exposures above 4,000 ppb, is that correct, for leukemia
22 and myeloid leukemia?

23 A. I would have to go back and look at these. I was just
24 reporting from IARC to show their evidence that they used to
25 classify this as a Group 1 carcinogen. I wasn't reevaluating

1 IARC's evaluation.

2 Q. If you would turn to page 17 of your report, which is P-24.

3 A. I have it.

4 Q. Okay. Did you not state the relative risk for a highest
5 category of peak exposure above 4 ppb -- I'm sorry, 4 ppm was 2.46
6 for all leukemia and 3.46 for myeloid leukemia?

7 A. That's correct.

8 Q. Okay.

9 A. That is from IARC 2004. Not my language, their language.

10 Q. Do you reject their language?

11 A. No. It's in my report.

12 Q. Okay. There we go. Next sentence. "Based on eight cases, a
13 significant excess mortality from nasopharyngeal cancer was
14 observed among formaldehyde-exposed workers in comparison with the
15 national population (standard mortality ratio 2.10; 95 percent
16 confidence interval, 1.05 - 4.21)." Correct?

17 A. That is what IARC says.

18 Q. But there is a footnote to that page, is there not?

19 A. Well, not in IARC. IARC basically was giving positive findings
20 that they used in making their Group 1 carcinogen classification.

21 Q. So you're not aware, or until this moment and until you read my
22 brief, you were not aware that the exact confidence interval we're
23 talking about actually goes below 1.0?

24 A. You're saying IARC made an error? I am not aware that IARC has
25 made an error.

1 Q. I am saying that in the Hauptmann paper the exact confidence
2 interval was reported below .1 at the bottom level.

3 A. I really did not use it in the capacity of criticizing
4 Hauptmann. I used it in the capacity of showing which studies,
5 which confidence intervals IARC used to render this a Group 1
6 carcinogen. I did not render an opinion other than the fact that
7 IARC has classified it as a Group 1 carcinogen and I concur and
8 that's it.

9 Q. You concurred without reviewing the study?

10 A. I concur with IARC's elaborate monograph and their
11 considerations, yes, I do concur.

12 Q. I guess my question then would be, other than handing the judge
13 the IARC study, you're not making any expert evaluation of that
14 study; is that right?

15 MR. D'AMICO: Objection to the classification as the IARC
16 study, it's not a study.

17 THE COURT: Go ahead and rephrase it.

18 BY MR. WEINSTOCK:

19 Q. Other than handing the judge what IARC did with formaldehyde,
20 you offer nothing but to -- you offer no expertise on what's
21 written in there because you haven't looked at the underlying data?

22 A. No. I looked -- IARC has 803 references in its monograph.
23 They have an advisory committee that is a worldwide representation
24 of advisory committee. I am not making any specific causation
25 here, I am not making any opinions at all on cancer other than to

1 say that IARC has presented, studied ad nauseum all of the data,
2 with its flaws or missing or whatever it is they have looked at,
3 and they have given, rendered it a Group 1 carcinogen.

4 I am not doing any specific causation. I have not
5 rendered any opinions on cancer. If I were, then I would be going
6 beyond what IARC and looking at individual articles.

7 Q. Are you aware of any travel trailer in which the levels were
8 found to be 4,000 ppb?

9 A. I am not, no.

10 Q. But nevertheless, the portion of IARC you selected to represent
11 to the court involved the relative risk for peak exposures above
12 4,000 ppb, that's the portion you lifted from IARC and gave to the
13 court; is that correct?

14 A. There are many, many studies on cancer that I put in this from
15 IARC. And as I said, my -- I am not interested in rendering
16 opinions on cancer at this time.

17 Q. And these nasopharyngeal, the eight deaths actually turned out
18 to be seven, are you aware of that, that one of them was
19 reclassified?

20 A. I have not. I have not gone into the specific articles
21 concerning cancer because my testimony is not involving that. My
22 testimony is only involving that I concur with IARC's extensive
23 review of all of the literature. And literature will have always
24 some considerations that weaknesses, strengths, that's literature.
25 That's science.

1 Q. If we can turn to the Stellman study. Did you cite portions of
2 the Stellman study in your opinion?

3 A. IARC has included in their monographs the lymphohematopoietic
4 SMR of 3.44 and the leukemia of SMR of 5.79 with confidence levels
5 for both exposures to formaldehyde and wood dust.

6 Q. There was a column next to the exposure just to formaldehyde;
7 is that correct?

8 A. Excuse me?

9 Q. There was -- you cited the column with formaldehyde plus wood
10 dust, correct?

11 A. I did, right.

12 Q. There was a column right next to it -- keep going, it's
13 there -- there was a column right next to it with formaldehyde
14 exposure only, correct?

15 A. No. I cited IARC, I am not citing that column or that study.
16 That's the Stellman study itself. I cited IARC. And only for
17 illustrative purposes to show that they had voluminous information
18 to review and consider all aspects of all of these articles in
19 rendering their Group 1 carcinogen classification.

20 Q. On page 34, are you telling us that your opinion that the
21 plaintiffs have a reasonable fear of cancer is solely because of
22 what IARC said? You've reviewed no other papers, you've drawn no
23 other conclusions from these papers, and you're not familiar with
24 these papers, is that what you're telling this court?

25 A. No, it's not what I'm telling this court. I am telling this

1 court I concur with the Group 1 classification that IARC has
2 rendered of a carcinogen as a toxicologist. Any time you have a
3 DNA reactive carcinogen you know that you have the potential to
4 form a mutant cell that will become a malignant cell. As a
5 toxicologist, once you see the extensive DNA reactivity of a
6 compound you know that it is capable of forming a cancer.

7 So it's not just what IARC has said that I concur with
8 them. I think the toxicokinetics are extremely important and
9 they're also in the IARC monograph beautifully done.

10 Q. And we sat here and you showed us where ATSDR got all of its
11 levels from, correct? You went back and looked at those papers,
12 right?

13 A. No. ATSDR did not do -- IARC did, you said ATSDR.

14 Q. ATSDR picked an MRL, yes? They calculated an MRL, isn't that
15 what you told us?

16 A. They picked a particular study out of their 1,100 plus studies
17 to calculate an MRL.

18 Q. And you looked at that study --

19 MR. D'AMICO: Objection, your Honor, objection. It's a
20 mischaracterization. The ATSDR MRL's refer to the non-cancerous
21 risks. He's been asking her about the cancer studies in IARC and
22 now he is trying to infer that ATSDR --

23 THE COURT: Well, I am going to overrule it because I
24 think that the witness can certainly make that clarification if
25 it's necessary. I'll certainly allow her to do that in response to

1 the question.

2 BY MR. WEINSTOCK:

3 Q. And Mr. D'Amico, to clarify his concern, I am not talking about
4 what cancer and which studies you looked at and which studies you
5 didn't. I'm talking about your methodology. When you looked at
6 what ATSDR did, you went back and found the studies on which they
7 relied, correct, isn't what we saw this morning?

8 A. Right. Because I was interested in testifying on non-cancerous
9 health effects, not interested in testifying on the cancers, but
10 wanted to make sure that I could put into for completeness the IARC
11 classification of formaldehyde as a Group 1 carcinogen.

12 Q. But when you came back and looked at IARC, you chose not to
13 look at any of the underlying data behind their decision?

14 A. If I am going to make a specific causation on cancer I
15 certainly will. But that is not my purpose here, that is not my
16 opinion. None of those are my opinion.

17 Q. Just so I am clear, as we sit here today, you are not giving
18 the opinion that formaldehyde is a carcinogen because you have not
19 done the underlying work; is that right?

20 A. No. I am concurring with IARC that it is a Group 1 carcinogen
21 because I have read it from cover to cover in the manual, in the
22 monograph that IARC reports. It puts the data, flaws as well as
23 strengths, it discusses them. Some of the articles I have read but
24 not for purposes of this trial to be ready to present it here, but
25 I read them on a regular basis.

1 But based upon that, based upon their Group 1 carcinogen,
2 I concur with that, after reading their monograph and many of the
3 articles I have already had knowledge of. But I am not rendering a
4 cancer opinion here, so I am not going to do the work on the cancer
5 opinion when I am not rendering a specific causation opinion at
6 this time.

7 Q. I think that's where I started. You are not rendering an
8 opinion on cancer today, correct?

9 A. That is correct.

10 Q. Okay. You follow the Bradford Hill criteria; is that correct?

11 A. I followed the original Bradford Hill criteria as incorporated
12 into the Federal Reference Manual on Scientific Evidence, which, of
13 course, Rothman has also updated those Bradford Hill; and they're
14 not criteria, Bradford Hill didn't call them criteria, they are
15 points for consideration. And, yes, that is the methodology
16 required for a causal opinion.

17 Q. And one of those is consistency?

18 A. Consistency is -- well, consistency has a connotation today
19 that consistency is you see the same effect in many different
20 studies.

21 Q. And that's what you reported in your report, right?

22 A. I showed you three different studies on the damaged epithelium
23 of the respiratory tract because -- and I said that when I showed
24 them -- that is one of the things you are looking for.

25 Q. And you put in your report consistency. Is there a consistency

1 repeated observation of an association in different populations?

2 A. Correct.

3 Q. And I think you said during Mr. D'Amico's examination that
4 according to the federal judiciary guidelines, you need multiple
5 studies; is that correct?

6 A. I said -- I gave three studies, yes.

7 Q. Would you agree that your methodology when it comes to
8 selecting studies is to only look for those that prove an
9 association?

10 A. No. You already pointed out some that said different things.
11 No. You look in there and I have some that don't. I have an
12 extensive -- and so does IARC and so does ATSDR. ATSDR has over
13 1,100 studies and many of them don't prove an association, that's
14 why it's such a wonderful reference.

15 No, I don't just look for those, I look at both.

16 Q. Have you ever testified otherwise?

17 A. Have I ever testified otherwise what?

18 Q. Have you ever testified that all you look for are studies that
19 show an association?

20 A. I testified that I look for studies that show an association.
21 I don't think the word all is, you know, I don't recall ever saying
22 all. I would have to see what the question was to make that
23 statement. But I look at the voluminous amount of literature.
24 Sometimes depending on what the issue is at hand, I may be
25 presenting a preponderance of those that prove the case, but that

1 doesn't mean that I haven't looked at the others.

2 Q. Am I correct that you consider negative studies to be
3 meaningless?

4 A. IARC makes a statement in the front of their -- negative epi
5 studies cannot prove the no. You cannot take a negative result,
6 that's a cardinal rule of epidemiology, and prove that something
7 isn't. You can't prove a negative. And that is standard. So that
8 is correct. You cannot make that conclusion.

9 Q. And I don't -- I am not concerned about IARC's methodology, I
10 am concerned about Dr. Williams' methodology.

11 A. My methodology is the same as the methodology in
12 epidemiologies. You can't prove the no, you can only say we have
13 not found results because of the nature of epidemiology. If you do
14 say that negative result proves that you are giving
15 epidemiologically incorrect information.

16 MR. WEINSTOCK: Your Honor, may I approach?

17 THE COURT: Yes.

18 MR. D'AMICO: What page are you going to?

19 MR. WEINSTOCK: I don't know. If we can go to page 132.

20 BY MR. WEINSTOCK:

21 Q. This is your answer, "That is the cardinal rule of
22 epidemiology, all I look for were epidemiological studies and I
23 look for those that would prove an association."

24 A. Well that's correct. That's just what I told you. If you're
25 looking for epidemiology, you've got to find positive studies. You

1 can't -- the negative cannot prove the no. So that is an absolute
2 correct statement.

3 Q. If we can continue. Next slide. Same page. "I chose were
4 articles that would prove and showed an association. Since
5 epidemiology is by design, negative studies are meaningless."

6 A. Correct.

7 Q. "They do not mean there is no association. They strictly mean
8 they did not show no association."

9 A. That is absolutely correct in what I said.

10 Q. And that is -- I just want to make sure that is your
11 methodology as we stand here today?

12 A. That is the nature of epidemiology, sir. You cannot prove the
13 no. You can only -- you must look for positive associations. That
14 is expressed in epidemiology texts, that is expressed in the
15 beginning of IARC --

16 Q. I just want your methodology.

17 A. That's not just my methodology, that is the methodology of the
18 state of science.

19 Q. So if we had three studies and two showed an association and
20 one showed no association, the one, as you said with no
21 association, is meaningless; correct?

22 A. Correct. And IARC also says if you see an abundance of
23 negative epi studies but you have one with a positive association,
24 then you have to look at that positive association.

25 Q. And that's your opinion. So if we had --

1 A. That's not just my opinion.

2 Q. If we had ten studies and one was positive and nine showed no
3 association, you would opine, got to go with the positive, the
4 negative is meaningless. Correct?

5 A. Correct. And the thalidomide debacle where children were born
6 without limbs there were a lot of negative studies.

7 MR. WEINSTOCK: Objection, your Honor. I got an answer to
8 my question. Can I get the next one out?

9 THE COURT: Yes, let's just stick with the question,
10 ma'am. There will be an opportunity for redirect if counsel
11 chooses, but let's not get argumentative, either one of you, let's
12 not get argumentative. Stick with the question. Answer the
13 question and move on to the next one.

14 BY MR. WEINSTOCK:

15 Q. And if we had a million studies and one showed an association,
16 999,999 showed no association, because they're meaningless, you
17 discount all of that and you just go with the one, correct? Is
18 that what you just said?

19 A. You would have to recognize the one. It's the design of the
20 epi studies. If you could do a million studies trying to locate
21 the etiologic vector of AIDS in a million bottles of blood to prove
22 that it was transmitted through the blood, and they would all be
23 negative because you have to find that person with AIDS that has
24 the virus in the blood. That is absolutely correct.

25 Q. Another Bradford Hill, what did you call them, points of

1 emphasis, not criteria, would be dose response?

2 A. Well, either dose response or biological gradient. A dose
3 response can only be done in mostly experimental would be, for the
4 most part in animal studies. Sometimes if it's -- a long time ago,
5 decades ago you could do a dose response in humans. Sometimes we
6 do see dose responses in epidemi but it's usually a biological
7 gradient.

8 Q. And that's what you put in your report, that dose response,
9 biological gradient is necessary, correct?

10 A. That is correct.

11 Q. The more of something that's hazardous, the more likely you are
12 to get the effect, correct?

13 A. It depends. There are many, many dose response for every
14 chemical. It's not just one dose response for a chemical. So you
15 may see a dose response at certain concentrations for a particular
16 chemical, you're making the assumption that what you're looking for
17 is a response that would be elicited. No, you don't see dose
18 responses with allergic sensitization because of the nature of the
19 allergic sensitization; and you don't see dose responses per se
20 with the -- and the hormonal, the very, very small levels of
21 environmental estrogens and hormones because that's at such small
22 levels you may not be able to demonstrate it.

23 Q. Would you agree with the following statement: "If the dose of
24 the toxicant is low, only a few DNA molecules will be changed. And
25 it is likely that the body's defense mechanisms will be able to

1 repair the damage, particularly in healthy adults with a net result
2 of no observable damage."?

3 A. I agree with that statement but I take it into more to the
4 cellular level as I've already said. If the same cell gets more
5 than one hit, then it's probably not going to be able to handle
6 that kind of a damage and it won't be able repair it. Whether it
7 can go into apoptosis and destroy itself or whether it goes on to
8 divide is the question.

9 Low doses -- certainly the higher a dose the more likely
10 you are could have multiple hits in the same cell.

11 Q. And in the studies either you reviewed or IARC reviewed, or
12 some of the ones we saw today, the lowest level of any association
13 between or claimed association between childhood asthma and
14 formaldehyde was 40 ppb; is that correct?

15 A. I think it was 49, I am not sure. It's somewhere in the 40s.

16 Q. It was not 1 ppb?

17 A. None of the studies went that low, no.

18 Q. It was not one molecule?

19 A. For asthma, no.

20 Q. Right. And that's the point, for asthma we're not talking
21 about a single molecule?

22 A. No, we're talking about a sensitization, it's a totally
23 different process.

24 Q. If we can talk about asthma for a moment. I think you reviewed
25 the Children's Health Fund Study, correct?

1 A. I did.

2 Q. And on page 10 it stated that: "According to parents, rates of
3 clinically-diagnosed asthma among their children increased from 18%
4 to 26% since the hurricane, a nearly 50% increase in prevalence."
5 Is that correct?

6 A. I'm having trouble seeing it.

7 Q. Page 10 if you're having trouble.

8 A. Page 10, yeah, I'm having trouble seeing that one. All right.

9 Q. Is that correct? Is that what --

10 A. That is what it says, yes.

11 Q. The parents reporting asthma is 26 percent, correct?

12 A. Yes. It was a report, yeah.

13 Q. But on the same document on page 13 -- let me know when you get
14 there. First full paragraph, maybe second. Are you there? Same
15 document, page 13.

16 A. Okay.

17 Q. The asthma rate was fairly typical at 11 percent. When the
18 doctors diagnosed asthma and they reviewed the records as opposed
19 to the parents reporting it, the asthma rates had not changed. Is
20 that correct?

21 A. That is probably the only sentence that I wouldn't say I
22 disagree with, but I have to do my own research on that because the
23 asthma rate by CDC when I did the Grand Bois study and a few years
24 after was 5.4 percent, that's a high number. I'm not sure, I don't
25 know where that number came from.

1 Q. So you disagree that asthma -- 11 percent is the correct level
2 for asthma?

3 A. Eleven percent is higher than what CDC published at the end of
4 the 1990s, so I don't know if we're having an increase in that --
5 that was CDC that published it. So I don't know the difference in
6 the two numbers and that would be the one thing I would question to
7 look further at it.

8 Q. Well, whether we're talking about 5 percent or 11 percent,
9 that's a lot different than 26 percent parent reported, correct?

10 A. Well, the parents are reporting 20 percent, that's what they're
11 reporting.

12 Q. And parents aren't doctors, most of them, yes?

13 A. A parent is more in a position to know when their child is
14 having difficulty breathing at night, wheezing, you know. The
15 parent is the key to tell the pediatrician what's going on with
16 their child, so they're very, very credible.

17 Q. The parent's more credible than the doctor when it comes to
18 diagnosing asthma?

19 A. The doctor needs the input from the parent to make the
20 diagnosis of asthma in a small child. The child can't talk, so the
21 parent is used for these children are not verbal yet to give them
22 the information they need to help and to make diagnoses and assist
23 the children.

24 Q. You referred us to the Wantke study that you reviewed, P-80, on
25 childhood asthma.

1 A. Okay.

2 Q. I think you told us that the way, the mechanism by which asthma
3 makes an impact on -- I'm sorry, formaldehyde makes an impact on
4 asthma is increased IgE levels.

5 A. That's one way. And that's what this article is addressing.

6 Q. That's what this article was studying?

7 A. That's correct.

8 Q. And towards the -- you see the section on results on page one?

9 A. Yes.

10 Q. The end of the last of that paragraph, I believe it's the last
11 full sentence, "However, elevated IgE levels of formaldehyde did
12 not correlate with symptoms." Is that correct?

13 A. Right.

14 Q. How long have you suffered from asthma?

15 A. I don't have asthma.

16 Q. Even after all of that work you did with formaldehyde as a lab
17 person?

18 A. I have a skin sensitization, I can't wear eye shadow or certain
19 eye liners, make my eyes swell and close and tear. I have more of
20 the skin type reaction from the asthma. No one in my family has a
21 history of asthma. I obviously don't make IgE. You're genetically
22 programmed to make IgE. I'm sure if I did I would have it.

23 Q. Referring to the non-cancer effects other than asthma. Did you
24 attend a meeting with Dr. Shellito, the plaintiffs' experts?

25 A. There were a couple of meetings that he wasn't the only person

1 there.

2 Q. Have you reviewed Dr. Shellito's report?

3 A. Yes, I did.

4 Q. Do you agree that when you're removed from the trailers or from
5 the vicinity where you're getting formaldehyde, there should be a
6 return to baseline for most of the effects from formaldehyde,
7 non-asthma. Would you agree with that statement?

8 A. Well, you're asking the wrong person because I no longer have
9 contact with formaldehyde, and I still -- formaldehyde is present
10 in so many things that basically you react, once you have -- if you
11 have some type of sensitization, whether it's IgE or otherwise, and
12 you come into -- that's the problem, once you're sensitized, you
13 come into it in an every day world, as I do, and I have the same
14 reaction as I had when I was standing over the cadaver and I
15 haven't been with a cadaver for many years.

16 So that is the problem because it is so ubiquitous, once
17 you are sensitized, you have a real problem for life.

18 Q. If Dr. Shellito in his report, which is P-21 on page 5, stated:
19 "Removal of the resident from the housing unit and the formaldehyde
20 should result in a lessening of asthma symptoms and a return of
21 preexisting disease to baseline." Based on your personal
22 experience you would disagree?

23 A. No. He said a lessening of asthma symptoms, certainly I agree
24 with that. A lessening. I mean, I don't come in contact with some
25 of triggers that start my contact dermatitis. The same with a

1 child with asthma. They are not going to have an every day 24/7
2 exposure once they're out of the trailers, that's what he's
3 referring to and I agree with that.

4 Q. Asthma symptoms, not a lessening of asthma. What he said
5 was -- he wasn't making any opinions about asthma, to your
6 knowledge, was he?

7 A. He was talking about it, a lessening of asthma symptoms, you
8 just read it.

9 Q. Asthma symptoms --

10 A. Correct.

11 Q. -- as opposed to actually diagnosis of asthma?

12 A. Well, diagnosis happens once, the symptoms happen many times.
13 You start having the wheezing, the bronchoconstriction, the chest
14 tightness, that's what he was talking about. A lessening of that
15 because you're out of the 24/7 environment and I agree with that.

16 Q. But you would agree that wheezing, shortness of breath, tight
17 chest, as he said, could be caused by many, many other things other
18 than formaldehyde?

19 MR. D'AMICO: Your Honor, I just object to this line of
20 questioning. She is not Dr. Shellito, she is not offered as an
21 adult pulmonologist.

22 THE COURT: Well, if she can answer it I am going to allow
23 her to answer it. I think both she and the statement that
24 Mr. Weinstock is citing to are expert opinions being offered by the
25 plaintiffs in this case. So if she can comment on it, fine; if

1 not, then she can so state.

2 THE WITNESS: I'm sorry, I lost it. Would you repeat the
3 last question that you were asking?

4 BY MR. WEINSTOCK:

5 Q. You would agree that asthma like symptoms, shortness of breath,
6 wheezing, chest tightness can be caused by many, many other things
7 other than asthma?

8 A. Well, you have many triggers, you don't have one trigger.

9 Q. So when he says asthma symptoms, that's not necessarily -- and
10 he certainly answered the question that way -- that you have asthma
11 and it increases it or decreases it, there are symptoms,
12 asthma-like symptoms that may or may not return to baseline?

13 A. Once you have asthma, you have asthma for life. When you come
14 in contact with your triggers, you will have the asthma symptoms.

15 Q. You've given an opinion that one molecule can -- exposure of
16 one molecule of formaldehyde can result in cancer; is that right?

17 A. No. I gave the toxicological knowledge that a DNA mutation, it
18 only theoretically takes one DNA mutation that is not repaired that
19 can be, if it is eliciting an -- if it is going to elicit a cancer,
20 that's all it would take because that's what we know with a DNA
21 reactive carcinogen.

22 Q. One molecule of formaldehyde can cause the DNA damage you're
23 referring to?

24 A. Theoretically one molecule, one mutation that is not repaired
25 or that in a cell that does not undergo apoptosis or that does not

1 cause other problems that the cell cannot survive, theoretically
2 can cause the mutation. And if it persists and it divides into
3 daughter cells, into a clone, then that is what the gene is turned
4 on for that cancer formation.

5 But that's a theoretical evaluation. We know that the
6 risk increases with multiple hits of the same cell.

7 Q. Doctor, is it correct that every one who has inhaled
8 formaldehyde has some damage?

9 A. Yes, I think it is. I think this is quite obvious. These
10 workers, this is a reactive electrophile. This thing comes in and
11 it does damage. It's the nature of the molecule, it's the
12 unfortunate nature of the molecule. It does damage and it hits
13 that tissue and you see the tissue damage on the slides that I
14 showed and the studies I showed.

15 Q. One breath of formaldehyde and that causes damage, correct?

16 A. I didn't say one breath of formaldehyde, I said -- I never made
17 a statement with one breath of formaldehyde.

18 Q. Everyone who has come in contact -- I'm sorry. Everyone who
19 has inhaled formaldehyde has some damage?

20 A. I said that a cross-link, if a formaldehyde molecule hits a
21 tissue, it forms a cross-link and that is damage.

22 Q. Do you remember giving this question and answer: "Backing up a
23 little bit, Doctor. I believe earlier you said that everyone who
24 has inhaled formaldehyde has some damage."

25 A. Right. If you're inhaling formaldehyde, it is a reactive

1 electrophile. It is going to hit the tissue, it is going to damage
2 the tissue. Now, what extent, you know, that you have to look at
3 biopsies for.

4 Q. I'm sorry if I am a little dense. Is one breath not enough?

5 A. This is a breath (DEMONSTRATING).

6 Q. One breath of formaldehyde, is that enough or not?

7 A. If it hits the tissues, if the formaldehyde -- on a molecular
8 basis, if the formaldehyde makes contact with a cell that has
9 electrons, it is going to form a cross-link. A cross-link is
10 damage. I have seen the damage at a one cell level under the
11 microscope.

12 Q. And then for everybody who breathes it in, whether it's one
13 breath or 1,000, has damage?

14 A. If you are breathing in formaldehyde at the cellular level, you
15 have cross-linking. I have looked at that cellular level of
16 cross-linking under the electron microscope because I used to do
17 just that.

18 Q. And just so we're clear, I don't want to be accused of mixing
19 and matching, the damage we're talking about is DNA damage that can
20 ultimately lead to cancer?

21 A. No. The damage we're talking about with those cells is
22 either -- if the cell membrane, it can be at the DNA level but it
23 doesn't have to be. Those were cell membranes that were hit.
24 Those were total changes from the cuboidal cell with the cilia.
25 The cilia have no DNA inside of them, that's the cell membranes

1 that were being hit.

2 Q. Go back to 236. Doctor, did you give this answer in your
3 deposition: "If the one molecule is in its reactive electrophile
4 form comes in contact with any part of a cell, it's going to
5 combine, it's going to cause damage. Now observable damage, you
6 know, no. Not unless that observable damage becomes later on a
7 clone of mutant cells has become a cancer." Isn't that the
8 cellular damage you're talking about today?

9 A. That was the cellular damage I was talking about in that
10 question. The cellular damage that I talked about throughout that
11 deposition was not just DNA, it was cell membranes, cilia are hit,
12 cross-links are formed, they're destroyed; membranes are hit,
13 cross-links are formed, they're destroyed. You can also have the
14 DNA hit.

15 Q. But if I am understanding your prior testimony, we don't have a
16 study that suggests, for example, for asthma that you see it below
17 40 ppb -- 49 ppb? I think you corrected me.

18 A. I think 49 ppb was the lowest. Now, we did see in one of the
19 studies in children already diagnosed with asthma below I think it
20 was 50 ppb, I think it went as low as 30 ppb, we saw an increase in
21 the bronchoconstriction.

22 Q. Forty-nine ppb, is that one breath or more than one breath in
23 residential settings?

24 A. You know, in a residential setting you're in 24/7 breathing.
25 One breath is a fraction of a minute.

1 Q. We talked earlier about cigarette smoke containing
2 formaldehyde.

3 A. Right.

4 Q. And are you familiar with the --

5 A. Wait, I'm sorry. Did you just say cigarette smoke contained
6 formaldehyde?

7 Q. Containing formaldehyde.

8 A. Oh, okay.

9 Q. Formaldehyde is a component of cigarette smoke, and that's what
10 you put in your report, correct?

11 A. Well, it can be, yes, it is. It's used as a preservative.

12 Q. Are you familiar with the Surgeon General's 1979 report that
13 states: "Mainstream cigarette smoke contains as much as 214 ppm of
14 formaldehyde."?

15 A. We know that cigarette smoke has formaldehyde and many other
16 things also.

17 Q. And in the tox profile you referred to, office buildings that
18 permit smoking had as much as 600 ppb of formaldehyde; is that
19 correct?

20 A. Smoking is a source of formaldehyde and in some of the studies
21 the smoking is, exacerbates the situation with the formaldehyde.

22 Q. And would smoking ten cigarettes a day lead to the damage
23 you've talked about from breathing in formaldehyde to cause the
24 cellular damage to cause the DNA cross-links?

25 A. We know that smoking -- smokers lose the cilia on the

1 pseudostratified ciliated columnar epithelium, so, yes, you see
2 some of the same patterns in the smokers.

3 Q. Have you ever testified otherwise?

4 A. I don't think I've ever testified with smoking and
5 formaldehyde.

6 Q. If you can go to the Acres deposition, page 49. "Dr. Philip
7 Cole taught me one of my graduate level courses in epidemiology at
8 Tufts University."

9 A. Correct.

10 Q. "And he did some of those earlier studies, and he presented
11 that ten cigarettes there could be no association below ten
12 cigarettes a day, a day, a day."

13 A. Right.

14 Q. "Q. Ten cigarettes per day? A. Correct. Q. There is no
15 association? A. That's correct."

16 A. To lung cancer.

17 Q. "Q. To what? To lung or any of the cancers."

18 A. And that is correct and that has been published.

19 Q. The ten cigarettes with formaldehyde in it, that's not a
20 problem below ten cigarettes, correct?

21 A. I didn't say that. This is about lung cancer and ten
22 cigarettes a day is the cutoff in the studies, Silcoff and Philip
23 Cole, this is well published. Above ten cigarettes you begin to
24 see the association with lung cancer.

25 Now, formaldehyde, I've not seen any studies that bring it

1 down to how many cigarettes a day with the actual loss of the
2 cilia, et cetera, I just know that that is what happens when you do
3 smoke.

4 Q. You said or any of the cancers.

5 A. For the lung cancers.

6 Q. Well, in fact, Ms. Acres suffered from pancreatic cancer,
7 correct?

8 A. No. Her husband died --

9 Q. Her husband died from pancreatic cancer.

10 A. Pancreatic cancer, that's correct. That's correct.

11 Q. And do you remember the opinion you gave regarding the exposure
12 to formaldehyde as a risk factor for his pancreatic cancer?

13 A. I don't recall it, you'll have to point out formaldehyde
14 because I don't remember.

15 Q. Let's go to page 167, I'm sorry, if you don't mind. "Q. What
16 article have you produced to us that supports your association with
17 or between formaldehyde and pancreatic cancer?" You looked for it
18 and then you said, "Here it is, 6.9. Occupational risk factors for
19 pancreatic cancer and formaldehyde exposure. And it is a
20 moderately increased risk. It describes --"

21 A. Wait, let me.

22 Q. This is taken from the article, associated with a moderately
23 increased risk of pancreatic cancer. So if I am understanding
24 correctly, cigarette smoke by Mr. Acres when you've been retained
25 by his lawyers is not a problem below ten cigarettes, but one

1 molecule of formaldehyde from a travel trailer, that's the killer,
2 right?

3 A. We're talking about cancer, counselor. When I talked about the
4 molecule for molecule reaction, the cross-linking, that was the
5 mechanism of action. That's two different things and I am not
6 talking about a cancer when I am talking about the molecular
7 mechanism of action with the formaldehyde.

8 It could be the mechanism of action for a cancer, but this
9 is certainly not anything to do with that. This is looking at
10 cigarette smoking as regards to pancreatic cancer. We are not
11 discussing pancreatic cancer, I've made no opinions on pancreatic
12 cancer. Obviously there are studies that associate formaldehyde
13 with pancreatic cancer. I didn't even recall it, but it's a pretty
14 strong association.

15 But what I'm talking about was the cross-linking is
16 indiscriminate, that's the toxicity of this molecule. If it hits a
17 molecule with electrons, it is going to form a cross-link. A
18 cross-link is damage, it's damage.

19 Q. Doctor, I am going to give you one more chance to explain it to
20 a pretty dumb lawyer. Can one molecule from formaldehyde cause
21 cancer or not?

22 A. I have made no -- I said theoretically a DNA reactive
23 carcinogen in toxicology, we know the potential is there. That is
24 not usually the case. One hit can be repaired or apoptosis can
25 occur.

1 But theoretically, one mutation is all that is needed to
2 cause the cancer, and that is known.

3 Q. Unless that molecule comes from ten cigarettes a day smoked by
4 Mr. Acres?

5 A. Unless that molecule comes from -- we're talking about
6 non-cancerous versus cancerous. If the hit is successful and we do
7 know evidently I've looked at studies that show that pancreatic
8 cancer can be caused by formaldehyde. It is not one of the cancers
9 that IARC has ruled on.

10 Q. Doctor, the formaldehyde we breathe in the air, is that the
11 same molecule of formaldehyde that our body makes?

12 A. We have what we call is endogenous formaldehyde, it is the same
13 that we make on a daily basis when we are having cellular
14 metabolism, when we are having, taking medication formaldehyde is
15 made and handled very rapidly within the blood stream within a
16 minute, the half life. It will be broken down, and I've already
17 showed where it goes in the tissues, cross-links, et cetera, in the
18 urine, in the feces, and off as CO₂. That's correct.

19 Q. Just so I understand your answer, the formaldehyde you breathe
20 in, the molecule is the same as the formaldehyde your body makes in
21 normal metabolism?

22 A. The difference is you're taking that formaldehyde in from the
23 outside and so as it comes into the body you're causing damage.
24 When it's in the body, it's being made endogenously so it's not
25 causing harm because we have enzymes. If you make urine, you don't

1 get sick. If you drink urine, you get sick because you're not
2 supposed to be drinking it. You put it in through an abnormal
3 location.

4 Q. Doctor, did you give the following testimony in your
5 deposition: "The formaldehyde that's naturally present in the
6 human body, is that the same formaldehyde that is in ambient air?
7 Correct, it's the same molecule."

8 A. Well, I said it's the same molecule. But I also said if you're
9 bringing it in through an abnormal location, you're inhaling it,
10 it's not the same pathway. We're making it endogenously and the
11 body handles it. The body handles a lot of toxic compounds, it
12 knows how to handle iron, it knows how to handle lots of toxic
13 compounds.

14 But when you're bringing it in from the outside, that's
15 not the same thing as the handling, the packaging, the rapid
16 deterioration of it when it's formed in the body in the blood.
17 You're drinking urine making yourself sick instead of just making
18 urine and excreting it.

19 Q. Does your body use formaldehyde for normal metabolism?

20 A. It is a normal metabolic process, that is correct. But when
21 the enzymes -- I've already said when the enzyme systems breakdown,
22 then you have a problem.

23 Q. Does your body use urine for normal metabolism?

24 A. You're not using urine, you're making urine and getting rid of
25 unwanted substances from the body. That's what urine is.

1 Q. If I take two molecules of formaldehyde from the human body,
2 one that was breathed in the air and the other that was made by the
3 cell, can you tell them apart? Can anybody tell them apart? Can
4 the body tell them apart?

5 A. The molecule is the same.

6 Q. But to you it's somehow marked or scarred because it came in
7 from the outside, correct?

8 A. No, not to me. That's what the scientific body of knowledge is
9 very, very profound and correct that as it's coming in that
10 respiratory tract, I've already showed you the damage it does,
11 that's not where you want to bring it in. Making it inside of your
12 body and handling it properly and getting it broken down quickly is
13 normal. But breathing it in 24/7 and causing damage to the cells
14 as you're breathing it in is not.

15 Q. You talked earlier about the MRL's being 8 ppb?

16 A. The chronic MRL.

17 Q. Chronic MRL. And as I understand it, you're familiar with the
18 portion of ATSDR where background, the background level, the
19 outside level in certain urban areas is 16 ppb or twice the MRL; is
20 that correct?

21 A. I don't recall exactly what it was. I would have to see it.
22 But in urban areas it is higher.

23 THE COURT: Mr. Weinstock, you've used about an hour of
24 time.

25 MR. WEINSTOCK: I have maybe four minutes left, your

1 Honor, but I appreciate it.

2 THE COURT: It's up to you. Like I said, you don't leave
3 much time for the other witnesses, but maybe we don't need that
4 much time.

5 MR. WEINSTOCK: I hope not.

6 BY MR. WEINSTOCK:

7 Q. "A. In urban areas, it's anywhere from .8 ppb to 16 ppb."

8 A. Right.

9 Q. That was your answer at your deposition?

10 A. Yeah. I haven't looked at it, but that's correct.

11 Q. And you don't dispute that today?

12 A. No.

13 Q. If we can put up the next slide. Judge, this is coming from
14 the plaintiffs' presentation -- you know what, I'm not going to do
15 that here, I'll do this as part of my closing piece. So that means
16 that we're done with that.

17 One last point. Who is Lynn Eric Williams?

18 A. Lynn Eric Williams, Sr. or Jr.?

19 Q. Junior.

20 A. Junior is my son.

21 Q. Lynn Eric Williams, Jr. is your son. Is Lynn Eric Williams one
22 of the attorneys that represents a plaintiff in this MDL?

23 A. He has a cancer case and he is not a part of the class cert --
24 I mean, I don't know the mechanics of a class certification, but he
25 doesn't do class certification, he does individual --

1 Q. He's got a suggested leukemia case that's in this MDL, correct?

2 A. I don't know. I really don't know.

3 Q. Have you discussed Mr. Geathreaux with him?

4 A. No, I don't discuss -- I haven't at this point discussed it. I
5 think he has a couple of people, but I am not quite sure.

6 Q. And you have consulted with your son in the past on cases,
7 correct?

8 A. Oh, I always, you know, if -- I can't serve as his expert
9 witness, but I will serve as his consultant when appropriate.

10 Q. So if he is a member of the plaintiffs group, you could not
11 consult as one of their experts, is that what you're saying?

12 A. I am not his consultant at this time.

13 MR. WEINSTOCK: That's all I have. Thank you.

14 THE COURT: All right. Thank you, Mr. Weinstock. Any
15 follow-up -- I see Mr. Miller. Did you have anything?

16 MR. MILLER: The government has some questions, please.

17 THE COURT: Certainly.

18 MR. D'AMICO: I keep forgetting about the government in
19 this case.

20 MR. DINNELL: Your Honor, Adam Dinnell for defendant
21 United States.

22 CROSS-EXAMINATION

23 BY MR. DINNELL:

24 Q. And, Dr. Williams, I want to talk with you briefly about
25 manufactured housing units. All right. You understand that by

1 manufactured housing units I'm talking about mobile home units?

2 A. Well, I know there are different distinctions between the
3 difference, if you'll let me pull my --

4 Q. Right. You understand that manufactured housing units are
5 regulated by the Department of Housing and Urban Department, HUD?

6 A. Yes.

7 Q. Isn't it true that in regulating mobile homes, HUD has issued a
8 targeted indoor ambient formaldehyde level?

9 A. Yes.

10 Q. And this has come up in the past in your deposition, right?

11 A. Yes.

12 Q. You've seen that HUD target level, correct?

13 A. Yes.

14 Q. And you know that it's .4 ppm?

15 A. Yes.

16 Q. .4 ppm is 400 ppb, right?

17 A. Yes.

18 Q. I want you to take a look at what's been submitted as
19 Government Exhibit 86, we're going to put this up on the board.
20 This is the first page of G-86, HUD-1 is the document. And this is
21 a citation to the Federal Register and this is HUD regulation on
22 manufactured housing titled Rules and Regulations, Department of
23 Housing and Urban Development. Can you see the first page there?

24 A. I really can't read it. But as long as -- I'm sure somebody
25 will let me know if you're not reading it right. Go ahead.

1 THE COURT: Do you have a copy you want to let her see?

2 MR. DINNELL: Sure.

3 MR. D'AMICO: Can we have a copy of it, too, Judge?

4 THE COURT: No, it should be in the exhibit book. It's
5 No. 86 in the book.

6 BY MR. DINNELL:

7 Q. Doctor, do you see the first page there of G-86?

8 A. Yes.

9 Q. Do you see the paragraph titled Summary?

10 A. Yes.

11 Q. Now it says that, "HUD is revising its manufactured home
12 construction and safety standards to improve the safety and quality
13 of manufactured homes." Did I read that correctly?

14 A. Yes.

15 Q. And then it says, "Standards limiting permissible amounts of
16 formaldehyde emissions from plywood and particle board are being
17 added." Correct?

18 A. Yes.

19 Q. Now I want you to turn to page, HUD-7 of G-86.

20 A. Page seven?

21 Q. Right. You got it?

22 A. Yes.

23 Q. Okay. Do you see down at the bottom there's a paragraph titled
24 (D) Targeted Ambient Level?

25 A. Yes.

1 Q. That paragraph reads, "The Department has concluded that an
2 indoor ambient formaldehyde level of .4 ppm provides reasonable
3 protection to manufactured home occupants. The Department has
4 determined that the plywood and particle board standards will
5 result in indoor ambient formaldehyde levels of not greater than .4
6 ppm," and then it goes on. Do you see that section?

7 A. Yes.

8 Q. And again, .4 ppm is 400 ppb when you convert it, right?

9 A. Correct.

10 Q. Now, let's look at HUD-10. Do you have HUD-10 up?

11 A. Yes.

12 Q. I am in the last paragraph, and it states, "The Department,"
13 meaning HUD, "has concluded that there is insufficient medical and
14 scientific evidence to substantiate more than minimal health
15 benefits when formaldehyde levels are reduced below .4 ppm." Do
16 you see that?

17 A. Yes.

18 Q. Now, when the government sets values like this, they have to
19 take into consideration both health, safety, but also political
20 factors and economic factors; is that right?

21 A. That is right.

22 Q. And you disagree with the HUD standard?

23 A. I sure do.

24 Q. Okay. And why is that?

25 A. Well, because you are certainly ignoring the people on that

1 bell shaped curve at 400 ppb. You still have irritation to the
2 eyes, tearing of the eyes at 400 ppb is the lowest, irritation to
3 the eyes 8 ppb, headaches 20 ppb, nausea/vomiting 20 ppb,
4 difficulty -- let's see. Diarrhea, 20 ppb, wheezing, shortness of
5 breath, persistent cough, tightness of the chest, all 400 ppb;
6 bronchitis four -- throat irritation, 81 ppb, laryngitis -- not
7 laryngitis. Dizziness, 200 ppb; those are in my report as the
8 lowest end on that Gaussian or bell shaped curve. So you're really
9 ignoring the susceptible population when you make that
10 determination. And since you said it concerns money, economics and
11 politics, but really more economics I think than anything.

12 Q. And the government decided that .4 ppm would be that standard,
13 right?

14 A. For their purposes.

15 Q. And that standard is still in effect to this day, correct?

16 A. As far as I know it is.

17 Q. And I just quickly want to take a moment to talk about
18 responses to formaldehyde concerns, okay. We're going to look at
19 G-91.

20 A. I don't have a 91.

21 MR. DINNELL: May I approach, your Honor?

22 THE COURT: Yes, go ahead and give it to her.

23 THE WITNESS: Oh, you mean another exhibit.

24 MR. DINNELL: Got it?

25 THE WITNESS: Yes.

1 BY MR. DINNELL:

2 Q. G-91 is a document titled Important Information for Travel
3 Trailer Occupants, correct?

4 A. Correct -- well, let me see if that's the same, yeah.

5 Q. The cover page --

6 A. Yeah, I saw it.

7 Q. Have you seen this document before?

8 A. No.

9 Q. Were you aware that the government had issued this brochure to
10 residents of travel trailers?

11 A. No.

12 Q. Now, I want you to turn to that page that actually has the text
13 on it, and on the far right side there is a paragraph titled "What
14 can I do to reduce my exposure to formaldehyde in my travel
15 trailer." Do you see that?

16 A. Yes.

17 Q. In that section FEMA made four recommendations as the how to
18 reduce formaldehyde in a travel trailer. Do you see those?

19 A. Yes.

20 Q. The first method was increase ventilation, correct?

21 A. Yes.

22 Q. The second method, keep indoor temperatures moderate; do you
23 see that?

24 A. Yes.

25 Q. Third method, lower the humidity. And then fourth method, do

1 not smoke inside, correct?

2 A. Right.

3 Q. And specifically, that first one, increase ventilation states,
4 "You can reduce your exposure to formaldehyde by bringing more
5 outdoor air into your home. Open windows and doors whenever
6 possible." Is that right?

7 A. That's what it says.

8 Q. Right. Now, you would agree that increased ventilation inside
9 a residence can effect the levels of formaldehyde, correct?

10 A. Increasing the ventilation can reduce them.

11 Q. Now, we talked earlier about the ATSDR toxicological profile,
12 correct?

13 A. Correct.

14 Q. And you said that it's voluminous, comprehensive -- the leading
15 information center for information about center substances,
16 correct?

17 A. Well, it's a leading document for -- that really, it relates to
18 communities, but I mean, it's a leading scientific document, but
19 they often try to word things in the beginning for lay community
20 people.

21 Q. I want to take a look at that, that's G-84, and this is the
22 ATSDR toxicological profile.

23 MR. DINNELL: May I approach, your Honor?

24 BY MR. DINNELL:

25 Q. And you've identified this ATSDR toxicological profile as one

1 of the documents that you reviewed in your work on this case; is
2 that right?

3 A. That's right.

4 Q. You've read it cover to cover?

5 A. This is -- oh, wait here it is. Okay. Yeah.

6 Q. Got it?

7 A. Yes, I found it.

8 Q. And you've read the profile cover to cover during your work on
9 this case?

10 A. I have read the profile. It has some areas that are redundant,
11 so if I am hitting another redundant area I may not read that
12 exact --

13 Q. But you've cited to this document in your work?

14 A. Yes, I have covered it extensively.

15 Q. And the toxicological profile is issued by the ATSDR like you
16 talked about earlier, right?

17 A. Yes.

18 Q. It's a government agency and is a peer-reviewed document; is
19 that right?

20 A. Oh, yes.

21 Q. Now I want to direct your attention to the portion of the
22 toxicological profile that discusses how to reduce or respond to
23 formaldehyde concerns. It's page ATSDR-25.

24 A. That's page 25 up there?

25 Q. And that's page 25 is the coded page number, ATSDR 25.

1 A. At the bottom (INDICATING)?

2 Q. That's right.

3 MR. D'AMICO: Page six at the top.

4 MR. DINNELL: Right.

5 THE WITNESS: Okay. Got it.

6 BY MR. DINNELL:

7 Q. Now, in the second paragraph on this page, the ATSDR reports,
8 "Formaldehyde is usually found in the air." And then it states,
9 "Opening windows or using a fan to bring in fresh air is the
10 easiest way to lower formaldehyde levels in the home and reduce the
11 risk of exposure to your family." Correct?

12 A. That is what it says.

13 Q. And you'd agree with the ATSDR that in indoor environments
14 opening windows or using a fan can successfully lower formaldehyde
15 levels?

16 A. In the air. It says in the air. It doesn't address the
17 formaldehyde that has dissolved on the, in the water of the
18 pacifier or the baby's bottle or that has been absorbed into the
19 carpet and is -- you know, it doesn't address that. It's talking
20 about this is the way we get it out when it's in the air. It's not
21 addressing some of the major areas that I think concern the need
22 for the children.

23 Q. But ventilation can help get formaldehyde out of the air,
24 right?

25 A. In the air, if it's in the air, yes.

1 Q. And ventilation is what was recommended in the FEMA brochure
2 that we put up on the board earlier, right?

3 A. It's a band-aid approach. It can certainly, you know, allow
4 air to circulate to the extent that it can -- I mean, my impression
5 of these trailers they don't have these French doors that you can
6 hold wide open. So it's limited for air to be able to flow through
7 that. I've seen little windows, correct me if I'm wrong, and they
8 have a better ventilation. But some ventilation, I wouldn't be too
9 optimistic how much.

10 Q. And ventilation is an approach recommended by the ATSDR?

11 A. Well --

12 Q. You just read it.

13 A. It's a statement that if it's in the air and you get fresh air
14 in you're going to reduce it, and it's talking about that component
15 that's in the air, but it's not addressing, as I said, the concerns
16 that I have with drooling babies and pacifiers and baby bottles
17 that would be dissolved in the water that they're having their own
18 ambient environment.

19 Q. Let's look at one more document here, we're going to go to
20 G-89, it's an EPA document titled An Introduction to Indoor Air
21 Quality.

22 A. In here (INDICATING)?

23 MR. DINNELL: I'll bring it up to you. May I approach,
24 your Honor?

25 THE COURT: Yes.

1 BY MR. DINNELL:

2 Q. Do you have G-89 in front of you?

3 A. Yes.

4 Q. And that's an EPA document titled An introduction to indoor air
5 quality, correct?

6 A. Yes.

7 Q. You've seen this document before?

8 A. No, I have not seen this before.

9 Q. But you're familiar with using EPA materials on indoor air
10 quality?

11 A. Yes, I do.

12 Q. And they're a reputable source for any kind of indoor air
13 contaminant issue; is that fair?

14 A. They give reputable information, yes.

15 Q. Did you see the paragraph titled Steps to Reduce Exposure?

16 A. On what page?

17 Q. That's on page EPA-3, I'm sorry.

18 A. All right.

19 Q. Now, the EPA lists three steps to reduce exposure to
20 formaldehyde. Do you see that?

21 A. Yes.

22 Q. The first one is use exterior grade pressed wood products. Do
23 you see that?

24 A. Right.

25 Q. I want you to focus on the second and third steps to reduce

1 exposure to formaldehyde. "(2) use air conditioning and
2 dehumidifiers to maintain moderate temperature and reduce humidity
3 levels." Did I read that right?

4 A. Correct.

5 Q. And you see three, "increase ventilation, particularly after
6 bringing new sources of formaldehyde into the home." Is that
7 right?

8 A. That's correct.

9 Q. So the EPA has also suggested ventilation as the means to
10 reduce formaldehyde levels, right?

11 A. Correct.

12 Q. And ventilation, again, was the same thing suggested in the
13 FEMA brochure that we talked about earlier?

14 A. Correct.

15 Q. Now, let's look at one last document. We're going to go to
16 G-92. All right. Doctor, G-92 the front page says Fleetwood
17 Pioneer, correct?

18 A. Yes.

19 Q. And down below it says FEMA owner's manual near the bottom of
20 the page. Do you see that?

21 A. Yes.

22 Q. Now, you see the coded page numbers down at the bottom, Fleet
23 30(b)(6) and then the number?

24 A. Yes.

25 Q. We're going to go to No. 11. You've got it?

1 A. Yes.

2 Q. Page is entitled Important Notices, right? Do you see that?

3 A. The yellow highlighted portions, is that what you're asking me
4 to read?

5 Q. Right. We're going to focus on these first two warnings here.

6 And all three of these warnings are similar, so let's just look at

7 Warning No. 1 for right now. It reads: "This product is

8 manufactured with urea-formaldehyde resin. Formaldehyde vapor may

9 in some people cause headaches, eye, nose and throat irritation and

10 aggravation of allergies and respiratory problems such as asthma.

11 Proper ventilation should reduce the risk of such problems." Is

12 that right?

13 A. You read it correctly, yes.

14 Q. Now, do you have any idea how many residents chose to vent
15 their units in this case?

16 A. No, I have no knowledge of that.

17 Q. And you said earlier that venting can affect the levels of

18 formaldehyde present in a housing unit or indoor airspace; is that

19 right?

20 A. If there is true ventilation, yes.

21 Q. And ventilation is one of the methods suggested by the ATSDR

22 and the EPA to reduce formaldehyde levels; is that right?

23 A. As a transient solution to reducing transient time periods of

24 ventilation of formaldehyde levels, how long can you keep your

25 windows open with a small child, I don't know.

1 Q. But, yes --

2 A. Ventilation is effective at that period in time that you're
3 ventilating reducing the levels in the air.

4 Q. So ventilation is a means of effectively reducing formaldehyde
5 levels; is that right?

6 A. Some of it, not all of it.

7 MR. DINNELL: No further questions.

8 THE COURT: All right. Thank you. All right. You all
9 have about 11 minutes, on the defendant's side, to present two
10 witnesses if you want to re-evaluate that.

11 MR. WEINSTOCK: Your Honor, we're only presenting one
12 witness. And I have no trouble, if it's all right with you, if
13 they take time from what would be our closing argument.

14 THE COURT: Okay.

15 MR. MEUNIER: Well, that bares -- Judge, we have
16 17 minutes left, as I appreciate it.

17 THE COURT: That's about right, yeah.

18 MR. MEUNIER: And if I understand the rules, we can now
19 redirect and use some of that 17, or we can save that --

20 THE COURT: You can redirect with this witness or you can
21 save it for --

22 MR. MEUNIER: Or save it for cross. So I guess I needed
23 to know if the defendants are truly going to put on a witness and
24 I'm hearing that they are.

25 MR. WEINSTOCK: Yes. Sorry. Yes.

1 THE COURT: Okay. All right. Any redirect for
2 Dr. Williams?

3 MR. MEUNIER: We will have very brief redirect.

4 REDIRECT EXAMINATION

5 BY MR. D'AMINCO:

6 Q. Doctor, you were shown a document by the government relative to
7 the HUD target levels for formaldehyde emissions and indoor air. I
8 believe that was published in 1984, correct?

9 A. Correct. I don't have it in front of me.

10 Q. In your opinion, should it be revised to reflect the current
11 state of science on the --

12 A. I'm sorry.

13 Q. The HUD --

14 A. The HUD document that he just showed, okay.

15 Q. Yes.

16 A. I'm sorry, I was thinking of another one. Go ahead. I didn't
17 get your question.

18 Q. You said you disagreed with it.

19 A. I said it didn't solve all problems.

20 Q. Right, right. Should it be revised in your opinion?

21 A. Definitely. I think there are, there should be many things
22 stated more directly to the formaldehyde that children would be
23 more likely in their mouthing activities and their floor activities
24 and their salivating activities to come in contact with.

25 Q. A level, target level of 400 ppb. Is that predicative of

1 health effects with the current state of scientific knowledge about
2 inhaled formaldehyde by children?

3 A. I listed several symptoms that it would -- it's certainly well
4 above what we see in the studies with children with respiratory
5 effects.

6 Q. All right. I would like to now turn your attention to your
7 report. And Mr. Weinstock asked you about your opinions you stated
8 on page 34. Do you have your report in front of you, Dr. Williams?

9 A. Just a second.

10 Q. If you would, if you look at page 29, under Section 10.0,
11 Medical Intervention for Asthmatic/Respiratory disease population
12 consisting of Education, Management and Treatment Programs.

13 A. Right.

14 Q. While it's true that you do not mention it in your conclusions
15 on page 34, you do discuss it at pages 29, 30 and 31 of your
16 report?

17 A. Yes, I do.

18 Q. If we can look at the Wantke study. You were asked about
19 specific increases in asthma and what the Wantke study found. If
20 we could -- let me tell you what, I'll skip that. I want to save
21 some other time.

22 See Dr. Shellito's report, you were asked to look at his
23 deposition, do you recall that?

24 A. Yes.

25 Q. And they were talking about a return to baseline for adults.

1 Isn't it true that Dr. Shellito deferred to a pediatric specialist
2 on the effects of formaldehyde in children?

3 A. Yes, he did.

4 Q. And he was talking about a return to baseline in the adult
5 population?

6 A. Yes, he was.

7 Q. And we're asking for a subclass to be certified for children,
8 correct?

9 A. I believe that's what you're asking for.

10 MR. D'AMICO: That's all I have, Judge.

11 THE COURT: All right. Thank you, you can step down,
12 Dr. Williams.

13 THE WITNESS: Thank you.

14 THE COURT: Thank you. All right. Mr. Weinstock.

15 MR. HINES: Your Honor, may it please the court, Richard
16 Hines on behalf of the defendants. We would like to call Dr. H.
17 James Wedner.

18 THE COURT: Please raise your right hand.

19 (WHEREUPON, H. JAMES WEDNER, M.D. WAS SWORN IN AND TESTIFIED AS
20 FOLLOWS:)

21 THE COURT: You may be seated. Please state and spell
22 your full name.

23 THE WITNESS: My name is H. James, J-A-M-E-S, Wedner,
24 W-E-D-N-E-R.

25 VOIR DIRE EXAMINATION

1 BY MR. HINES:

2 Q. Dr. Wedner, would you introduce yourself to the court. Tell
3 the court where you live and where you work and what your position
4 is.

5 A. My name is H. James Wedner, M.D. I am from St. Louis,
6 Missouri. I am professor of medicine and chief of the Division of
7 Allergy and Clinical Immunology in the Department of Medicine at
8 Washington University School of Medicine. I am the Training
9 Program Director for Allergy and Clinical Immunology of the
10 Training Program in Allergy and Immunology at Washington University
11 School of Medicine. I am the director of the Asthma and Allergy
12 Center of Washington University, and I am the chair of one of the
13 IRB's, which is the human experimentation committee, for the
14 Washington University School of Medicine in Washington University
15 combined human experimentation consortium.

16 Q. And can you tell the court, the particular group, the human
17 experimentation program you work on just very quickly what that
18 entails?

19 A. Well, every university has to have an IRB and we have one of
20 the largest in the country. We have four what are called new
21 protocols IRB's and six review protocols. So every human
22 experimentation protocol that is done at Washington University or
23 the School of Medicine has to be presented to one of the four new
24 protocol IRB's. And they present the plan, it has to be
25 scientifically sound, the consent form has to come to us, we have

1 to approve it, and you can't do any research unless we approve it.

2 Q. With respect to the clinical program that you're involved in
3 and that you are the chief of, you evaluate and treat patients who
4 complain from time to time of exposure to various indoor air
5 pollutants, including formaldehyde, and have you had occasion to
6 treat such or related such people?

7 A. Yes. Our program, like all allergy programs, sees both adults
8 and children. My youngest patient is currently two, my oldest
9 patient is currently 102.

10 MR. HINES: Your Honor, I don't want to go through his
11 entire CV, it's part of Exhibit D-228, but just very quickly.

12 BY MR. HINES:

13 Q. You were educated in both undergraduate and medical school at
14 Cornell, you interned at Barnes which is Barnes Hospital at
15 Washington University, St. Louis. And if we could just put that in
16 context, where does in the great hierarchy of medical schools,
17 where does Washington University in St. Louis School of Medicine
18 sit?

19 A. According to U.S. News and World Report, we're currently third.

20 Q. And who is ahead of you?

21 A. Harvard and Penn.

22 Q. I take it you're board certified and members of appropriate
23 organizations?

24 A. Actually, because when I started doing this a long, long time
25 ago I did only research, I am not board certified. But I have a

1 certificate from the people who certify that you have to be board
2 certified to train others, that that's okay. So I never took the
3 boards.

4 But I belong to all of the other groups and I am a fellow
5 of the American Academy of Allergy, Asthma and Immunology.

6 Q. And you serve on the editorial and peer review boards of
7 various peer review journals?

8 A. I have, yes.

9 Q. And, in fact, you have authored a series of studies, and I just
10 want very briefly for you address the inner city asthma program
11 that you were involved with and the study just very briefly.

12 A. Back in 1990, the National Institutes of Allergy and Infectious
13 Diseases set up the first of a series of inner city asthma
14 programs. The first one was called the National Cooperative Inner
15 City Asthma Study, or NCICAS, which was designed to ferret out what
16 we initially thought would be the cause of the significant rise in
17 asthma within the inner city. And there were seven sites chosen,
18 of which Washington University was one, and I was the principle
19 investigator of that.

20 MR. HINES: Your Honor, at this time it would like to
21 offer Dr. Wedner as an expert in the field of immunology and
22 allergy.

23 THE COURT: Any objection? Any desire to question this
24 witness?

25 MR. REICH: If I may voir dire the witness briefly, your

1 Honor?

2 THE COURT: Sure.

3 TRAVERSE EXAMINATION

4 BY MR. REICH:

5 Q. Dr. Wedner, you are not a pediatric immunologist, are you?

6 A. I see patients both adult and pediatrics, yes.

7 Q. Is there a specialty for pediatric immunology and allergy?

8 A. No. You have to be, show competency in both. In order to be
9 board certified or to have a certificate as I do, you have to be
10 competent in both. If you start off being trained as an internist,
11 then 20 percent of your training in allergy and immunology has to
12 be with children; if you start off as a pediatrician, then
13 20 percent has to be in adults.

14 Q. What percentage of the patient population that you would have
15 occasion to see clinically would constitute pediatric patients?

16 A. At the asthma center, approximately right now about 30 percent
17 of all of my patients are under the age of 12. At our other
18 clinical setting, about 15 percent are under the age of 12.

19 Q. Have you had an opportunity to evaluate clinically any of the
20 children living in the FEMA trailers?

21 A. I have not.

22 MR. REICH: I have no further questions at this time.

23 THE COURT: All right. Thank you.

24 DIRECT EXAMINATION

25 BY MR. HINES:

1 Q. I note in your affidavit that because of the wide --

2 THE COURT: Let me ask before you proceed. Is there an
3 objection that the court should consider to this witness's, this
4 witness being admitted as an expert in the field of immunology and
5 allergy, which I think is what he is being offered as?

6 MR. REICH: No, your Honor.

7 THE COURT: All right. Thank you. Go ahead.

8 MR. HINES: Thank you, your Honor.

9 THE COURT: The court will so accept him and will go ahead
10 and proceed with the opinion.

11 MR. HINES: Thank you, your Honor.

12 DIRECT EXAMINATION

13 BY MR. HINES:

14 Q. Dr. Wedner, I noticed in your affidavit you say that because of
15 the wide use of formaldehyde that there is a large body of medical
16 literature investigating the alleged health effects of
17 formaldehyde?

18 A. That's correct.

19 Q. My question is this, did all of that literature start
20 post-Katrina?

21 A. Oh, no. The interest in formaldehyde started probably back in
22 1975 actually the first papers.

23 Q. And when did you, Dr. Wedner, first review the literature on
24 formaldehyde?

25 A. It was starting in late 1981 and then into 1982.

1 Q. And tell the court, if you would, the scope of the literature
2 that you, in fact, reviewed.

3 A. I reviewed what was the world's literature at that time.

4 Q. And since 1981-82 when you first became involved with the
5 literature on formaldehyde, have you maintained that interest over
6 the years?

7 A. I have.

8 Q. And with respect to the irritant and alleged allergic
9 properties of formaldehyde, has the state-of-the-art of the medical
10 literature changed significantly since 1981, 1982?

11 A. The state-of-the-art has progressed only in the sense that
12 there are more papers showing basically the same thing.

13 Q. In Dr. Williams' report and as we heard this morning, she has
14 listed a panoply of symptoms that she says is common to virtually
15 all of the putative plaintiffs in this case. Among those are
16 laryngitis, eye irritation, cough, chest tightness, wheezing,
17 headache, nausea, vomiting, diarrhea, skin rash, asthma-like
18 symptoms, and serious lung damage.

19 My question is this, do things other than formaldehyde
20 cause these symptoms?

21 A. Oh, of course.

22 Q. And even without any exposure to formaldehyde, would you expect
23 to see these symptoms occurring in this population?

24 A. In any population, and in particular any inner city population
25 like the ones that we continue to study now, these would be a

1 common series of symptoms that we would see.

2 Q. From the standpoint of the clinician, what would the clinician
3 have to do in order to diagnosis whether or not a particular
4 symptom is related to alleged formaldehyde exposure?

5 A. Well, there are a number of things you would have to do. First
6 of all, you would have to verify the symptom, you would have to
7 make sure that the patient actually had what was alleged to be.
8 You can't just accept that. Second of all, you would have to
9 verify exposure. And third of all, you would have to eliminate
10 other common things in the individual's environment which could
11 cause the same symptoms.

12 For example, if you lived in an environment that was very
13 dusty, if you lived in a bad outside environment where there was
14 high levels of ozone or particulates, in the inner city there are
15 cockroaches, there is rat allergen, there is mouse allergen, there
16 are people who are in close quarters so you could have a high level
17 of upper respiratory infections. All of those would have to be
18 eliminated before you could focus on a single, whether it's a
19 single allergen or a single irritant or any single factor, be that
20 formaldehyde or any other.

21 Q. Let me change the subject for just a moment. One of the big
22 questions confronting this court is whether or not formaldehyde
23 causes asthma and/or whether formaldehyde aggravates preexisting
24 asthma in an otherwise atopic individual, especially children. My
25 question to you is this, sir: Does formaldehyde cause asthma or

1 aggravate asthma symptoms in an otherwise atopic individual?

2 A. Let's break it into two questions. One is, is formaldehyde in
3 and of itself able to cause asthma? And the answer is there is no
4 compelling evidence that asthma -- that formaldehyde causes asthma.
5 First of all, there is no good mechanism by which it should be able
6 to cause asthma.

7 And second of all, the studies are very unconvincing that
8 at any significant ambient concentration it does cause individuals,
9 and this includes children, to have asthma. So that's point one.

10 The second is, does it cause individuals who have asthma
11 to wheeze more, and that is no also. And it's been shown in two
12 ways: In adults, what people have done, and that's been done
13 starting way back in the early 80s and onward, is they've taken
14 individuals who have diagnosed asthma, put them in chambers and
15 literally exposed them to medium to high concentrations, we're
16 talking 3 ppm, of formaldehyde and they don't wheeze. And this
17 included individuals who thought they had formaldehyde induced
18 asthma. And when they masked the odor of the formaldehyde, it
19 didn't make them wheeze.

20 So as far as we know, formaldehyde is not a cause or an
21 exacerbater of asthma.

22 Q. Once you have asthma, by the way, I heard something from
23 Dr. Williams this morning, once you have asthma, do you
24 automatically have asthma for life?

25 A. Actually you don't. If you develop -- there are several

1 classes of people who wheeze, and this is very important for
2 children because we have what are called early wheezers, and these
3 are the children who wheeze before the second year of life. And
4 early wheezers generally fall into two classes, some of the early
5 wheezers, indeed most of them, actually stop wheezing. And whether
6 or not they truly have asthma or not is something open to question.
7 But they lose their wheezing and they're actually normal, usually
8 for the rest of their life or at least as far as they have now been
9 study which is out to 18 years.

10 Some of those early wheezers and then the younger group
11 from two on will then begin to start to wheeze, and those would be
12 called childhood asthmatics. And if you do appropriate studies,
13 you can show they have asthma. Of those individuals, about half of
14 them will lose their asthma somewhere around puberty, between the
15 ages of 10 and 14, and they will literally lose their sensitivity
16 to methacholine or histamine, they will not show any asthma
17 symptoms.

18 Now, unfortunately of that group some of them will
19 re-acquire their asthma later in life between the ages of 25 and
20 40, but there is a group who developed asthma as a child and then
21 lose it and they never have asthma again.

22 THE COURT: Counsel, you've used the time that was
23 allotted. We will allow you to go into the half hour for closing
24 argument if you choose to do so. We'll also allow the plaintiffs
25 that as well. The plaintiffs have about 14 minutes left of their

1 examination time. So you can proceed with that caveat.

2 MR. HINES: Thank you, your Honor, we will be very brief.

3 BY MR. HINES:

4 Q. Another big question that is confronting this court is whether
5 formaldehyde can sensitize adults, and not only adults but
6 especially children, and whether or not that sensitization could
7 trigger other allergic reactions as opposed to just an allergic
8 reaction to formaldehyde itself. Do you have an opinion as to
9 whether or not formaldehyde can act as such a pathogen?

10 A. Okay. If we talk about sensitization, very briefly there are
11 four kinds of sensitization: One involves T cells and that was the
12 kind that you just heard about where somebody can't wear eye shadow
13 and that has nothing to do with asthma. That's a skin reaction,
14 it's called a type four reaction. And we see it all the time, it's
15 very, very common. About 30 percent of everybody will have a patch
16 test positive to formaldehyde. Everybody in this room.

17 Sensitization in the IgE sense making you allergic can be
18 shown in one very interesting situation where formaldehyde touches
19 blood, you can develop IgE, and that was shown way back in 1981 --
20 actually the first paper was in '78. But those individuals who had
21 IgE, there is no correlation between the IgE and whether or not
22 they're symptomatic. So the IgE is basically inconsequential.

23 And that's also been shown in two studies in children
24 where they developed really low levels, RASS scores about 1.3,
25 which is very low, to formal proteins and there was no correlation

1 between the IgE and the symptoms. So it's not a good sensitizer.
2 In most people it's not a sensitizer at all, but it doesn't cause
3 disease.

4 Q. Thank you. My last subject is this, your Honor, as we move
5 briefly through. You described earlier your work in the inner
6 city. You have been heavily involved in inner city work with
7 children. There will be a slide presented in closing by the
8 plaintiffs that if children have symptoms, they deserve treatment.
9 In fact, the plaintiffs have asked this court for a children's
10 subclass where the court would intervene on behalf of the children.

11 Would such a subclass based on your experience with
12 children, based on what you know about allergy and immunology,
13 would such a program be efficacious for those children?

14 A. Probably not. The problem with asthma, particularly asthma in
15 a group --

16 MR. REICH: Objection, your Honor, it's beyond the scope
17 of his expertise, no foundation.

18 THE COURT: Do you want to respond to the objection?

19 BY MR. HINES:

20 Q. Let's go back to your foundation. Can you tell the court the
21 work you've had with children and the funding you've had to work
22 with children and with asthmatics and what you've seen from those
23 studies and compare and contrast that with what's being asked in
24 this case.

25 A. Okay. The initial National Cooperative Inner City Asthma Study

1 was a large study, as a matter of fact at the time it was the
2 largest asthma study of children that had been done. And remember
3 that the incidence of asthma in the inner city currently is running
4 between 12 to 14 percent.

5 Q. Let me interrupt. So when we saw this morning that the Legacy
6 of Shame article by the Children's Health Fund reported that the
7 children in New Orleans and Baton Rouge that have been studied, in
8 fact, had 11 percent. Would that be what you would expect to find?

9 A. Absolutely. Within statistical error that was probably exactly
10 what I would have expected from that kind of a population.

11 So the question was, here you have the inner city at let's
12 say 11 percent and you had suburbia at 7 to 8 percent. Why? And
13 that was the idea of this study. So it was a two-part study: The
14 first was we naively said, okay. There must be a factor and so we
15 did a huge study in seven cities to find the factor that caused
16 inner city asthma to be so high. What we learned was there was no
17 factor but that for every child there was something that was
18 different for that child that made them have asthma more than
19 somebody else.

20 We then designed an intervention which was the first
21 intervention that actually statistically worked that had ever been
22 done on children. And that was an intervention designed toward
23 each child. And remember, the number one cause of an increase in
24 asthma in the inner city is psychological. We all thought it would
25 be mites and it wasn't mites; we all thought it would be

1 cockroaches and it wasn't cockroaches. The number one was
2 psychosocial.

3 So our intervention used social workers and it was
4 designed to, first of all, find out what's wrong and then fix it.
5 If you don't have a doctor, we got you a doctor. If you had
6 problems at school, we fixed the problems at school. We looked at
7 your environment and we fixed that, if possible. We gave you bed
8 covers. If you had a cat we got the cat out of the house if
9 possible. We did one of everything but it was designed for every
10 single child.

11 If you do the same thing to every child in any cohort,
12 there have been study after study after study before NCICAS that
13 showed that doesn't work. So that's the first thing.

14 The second thing is you can't focus on one factor. You
15 can't say we're going to select a group of children based on a
16 single questionnaire filled out by a parent, which all they, all
17 they do is check a couple of boxes, you're not selecting the right
18 cohort. If you want to do a study and you want to do it right for
19 the children in this area, you have to pick them all. You can't
20 just say, okay. If your parent checked off the right box, you get
21 to be in a study; and if you parent forgot to check off a box, you
22 can't be in the study, you can't get the intervention, that's just
23 not right.

24 MR. HINES: Thank you, your Honor. Thank you that's all
25 we have.

1 THE COURT: Thank you. Y'all have 23 minutes left.

2 MR. REICH: Thank you.

3 CROSS-EXAMINATION

4 BY MR. REICH:

5 Q. Dr. Wedner, you understand that you are at the class
6 certification stage of this case?

7 A. Yes.

8 Q. And you certainly recognize that there may be other experts in
9 your field who have different opinions as you may have regarding
10 whether formaldehyde is a risk factor for asthma?

11 A. There may be, sure.

12 Q. In fact, the American Academy of Pediatricians recognizes
13 asthma as a risk factor, correct?

14 A. They list it as a potential --

15 Q. Formaldehyde as a risk factor for asthma --

16 A. No, they list it as a potential risk factor.

17 Q. And potential simply means that there is an opportunity for
18 asthma to be induced from formaldehyde exposure into some people
19 who experience exposure, correct?

20 A. No. It means there is a possibility that it might cause asthma
21 but we don't know.

22 Q. Doesn't it, in fact, mean that a linkage has been shown in the
23 scientific literature that formaldehyde can induce asthma but that
24 there may be genetic and environmental factors that will determine
25 the extent of the outcome?

1 A. Well, you're testifying, not me. That's not what I believe it
2 to be.

3 But what it says it's potentially a cause of asthma, I
4 think what they mean is we don't know. There is some literature
5 that suggests an association but that association is not proven.

6 Q. And in 2007, recently, the National Heart, Lung and Blood
7 Institute and the National Asthma Education and Prevention Program
8 determined that formaldehyde is a risk factor for asthma, correct?

9 A. No. They said exactly the same thing as the Academy of
10 Pediatrics, they said it's a potential risk factor.

11 Q. And that was based upon the review of medical literature
12 demonstrating that persons exposed to formaldehyde are at increased
13 risk for developing asthma?

14 A. Not necessarily.

15 Q. All right. Now, I noticed that you prepared an affidavit and
16 your affidavit referenced a number of studies, including adult
17 studies and a few children studies. But for some reason the Wantke
18 study, which was displayed to the court earlier, was absent. Were
19 you familiar with that study?

20 A. Yes, I was. I am not sure why it's not there, but.

21 Q. And in the Wantke study, if I may place it on the ELMO.

22 THE WITNESS: Can I get a copy of that?

23 THE COURT: Do you have a copy of that for the witness?

24 THE WITNESS: It's almost impossible to read it from here.

25 THE COURT: It sure is.

1 MR. REICH: I have a copy, I should.

2 THE WITNESS: Thanks.

3 BY MR. REICH:

4 Q. In this study, school children were evaluated who were exposed
5 to particle board containing formaldehyde; is that correct?

6 A. Yes.

7 Q. In one particular school. And there were three different
8 measurements taken and those measurements were in the ppb 43, 69
9 and 75; is that correct?

10 A. That's correct.

11 Q. And approximately 40 percent of the children who were exposed
12 at those levels at the particle board formaldehyde containing
13 school room developed formaldehyde specific IgE antibodies,
14 correct?

15 A. Well, they developed a low level RAST tighter, yes. Not
16 formaldehyde, formyl. So the way you do this is you take
17 formaldehyde and you couple it to a protein. Nobody makes
18 antibodies to formaldehyde, it's too small. So this is a hapten
19 protein conjugate and the antibody is actually made against the
20 hapten protein conjugate. So the way they do the RAST is they hook
21 the formaldehyde to a protein and then hook the protein up to the
22 solid phase.

23 So to call these actual formaldehyde antibodies is
24 scientifically incorrect, it's actually an anti-formyl IgE.

25 Q. The study indicates, and in fact concludes, that 40 percent of

1 the exposed children developed formaldehyde specific antibodies, is
2 that the language in the study?

3 A. That's their language, but that's incorrect.

4 Q. You disagree with the characterization of that language?

5 A. Correct.

6 Q. And the same children who were relocated to another school and
7 placed in school rooms that did not have the particle board
8 containing formaldehyde but had exposure levels nonetheless in the
9 low 20 ppb range or so, did not develop these IGE-mediated
10 antibodies, true?

11 A. Say that again, the ones who were transferred?

12 Q. Yes.

13 A. No. The ones that were transferred just had a lowering of
14 their IgE levels.

15 Q. Not the control group. But when the children were then put
16 into another environment without the particle board --

17 A. Correct.

18 Q. -- they did not have the IgE-mediated antibodies, correct?

19 A. No, they just went down.

20 Q. And they went down significantly according to the report, true?

21 A. Well, statistically significant.

22 Q. Yes, there was a statistical significant decrease in
23 formaldehyde specific antibodies, according to the paper that you
24 have before you, the Wantke study, correct?

25 A. Correct.

1 Q. And the Wantke study was a peer-reviewed publication, true?

2 A. Right. The problem with the study is, as I pointed out before,
3 is there was no correlation between the level of IgE antibody and
4 symptoms, as they say here. However, elevated IgE to formaldehyde
5 did not correlate with symptoms, so it was irrelevant.

6 It's like if you're allergic to something and it's not
7 there, that's irrelevant. If something's there and you're not
8 allergic to it, that's irrelevant. So they had antibodies at very
9 low level, a RAST score of 1.7 is almost irrelevant. If you had a
10 RAST score of 1.7 to something, you probably wouldn't be very sick.
11 Nonetheless, it didn't correlate with your symptoms, so we would
12 conclude as a clinician that's not significant to you. If you came
13 to me and said I think I'm allergic to something and your IgE level
14 didn't correlate with your symptoms, we would go look for something
15 else.

16 MR. REICH: Objection as non-responsive, your Honor, I
17 didn't have a question pending. But I'll move on.

18 BY MR. REICH:

19 Q. There have been other studies, some of which you have cited in
20 your report, such as Krzyzanowski and Rumchev. And those were
21 studies that looked at children as well, correct?

22 A. Yes.

23 Q. And in the Krzyzanowski study, at levels of formaldehyde
24 approximately 60 ppb there was a significantly elevated level of
25 asthma in those children who received such exposure. True?

1 A. As they presented the data. But there's a problem -- you
2 can --

3 Q. Is that true, that's all I'm asking?

4 A. That's true -- show me the paper so I can make sure I'm looking
5 at the same paper.

6 Q. All right. I'll show it to you.

7 A. Let me trade you papers.

8 Q. And if you look at the summary, you will see in the first
9 paragraph, and I'll read to you, "significantly greater prevalence
10 rates of asthma and chronic bronchitis were found in children from
11 houses with formaldehyde levels 60 to 120 ppb than in those less
12 exposed, especially in children also exposed to environmental
13 tobacco smoke." Now environmental tobacco smoke, of course, will
14 contain formaldehyde, correct?

15 A. Huge amounts, yes.

16 Q. And in addition to the diagnosis of bronchitis and asthma by
17 physicians in that population of children that was exposed, wasn't
18 there also a decrement in a type of lung function study known as
19 PEF, pulmonary expiratory flow rate, correct?

20 A. Correct.

21 Q. And you're familiar with PEF studies?

22 A. Yes.

23 Q. The twenty-two percent decrement in this case between the
24 exposed and the unexposed children was considered to be
25 statistically significant, true?

1 A. Well, interestingly there was also --

2 Q. Can you answer that question?

3 THE COURT: Let's answer the question.

4 THE WITNESS: Yes, correct.

5 BY MR. REICH:

6 Q. And, in fact, in your affidavit you indicated that with regard
7 to the children's study, there was no statistically significant
8 findings. Your statement in your affidavit turned out to be
9 absolutely incorrect. True?

10 A. Not true. I mean, it's easy to read an abstract from the front
11 of a paper and say that's what it says, but then you have to read
12 the paper. You have to go through and look at the data.

13 And in point of fact there are some problems with this
14 paper. If you do work with kids, you have to look at where they're
15 exposed and what it all means. So if you look at, for example, the
16 bedroom, particularly the subject's bedroom, which is where you
17 spend, if you think about it, about a third of your life. So the
18 most important room for a child is their bedroom. And as a matter
19 of fact, the second iteration of the asthma study showed that if
20 you clean up a child's bedroom and forget about the rest of the
21 house, that's very important.

22 So it showed, for example, in table three, that that's one
23 area that there was no statistically significant correlation,
24 particularly with bronchitis.

25 So if you tease the paper apart rather than just reading

1 the abstract, which is a nice abstract I will admit, but if you
2 look at the data and you look, for example, at this decrease in
3 peak expiratory flow rate, the morning peak expiratory flow rate
4 went down in non-asthmatics as well as asthmatics. So it didn't
5 have anything to do with asthma, it had to do with just breathing.

6 So you have to tease apart the paper, and I did not
7 falsify in my affidavit, I simply read the paper and not the
8 abstract.

9 Q. Bronchitis and asthma are significant concerns to children,
10 correct?

11 A. Of course.

12 Q. And a 22 percent decrement in peak expiratory flow rate is a
13 significant measurement, is it not?

14 A. If you're looking at it in terms of why it went down. But if
15 you're saying it's an asthma response and the non-asthmatic kids
16 went down the same as the asthmatic kids, then it has nothing to do
17 with asthma.

18 Q. Let's talk about one other study, the Rumchev study, which is
19 in the bench book, Plaintiffs' Exhibit No. 36. You're familiar
20 with the Rumchev study, correct?

21 A. Yes.

22 Q. And would you like a copy of it?

23 A. Sure. I may have it but it's easier for you to give it to me.

24 Q. Sure. Rumchev made a determination that asthma levels increase
25 based upon respiratory questionnaires, as well as pinprick testing

1 at levels of 60 micrograms per cubic meter and greater. 60
2 micrograms would be approximately 48 or 49 ppb, correct?

3 A. Right.

4 Q. Now, let's just go to some of his findings. Take a look at
5 figure three, please, of the Rumchev study. Figure three shows
6 95 percent confidence levels and it correlates odds ratios with
7 formaldehyde levels. And you see the horizontal line where the
8 odds ratio is one, if you go above one that means you have a
9 statistical excess, correct, at the 95 percent level, true?

10 A. Yes.

11 Q. So when you're at 50 to 59 micrograms per cubic meter and 50
12 would be approximately 40 ppb, true?

13 A. 50 would be approximately 40.

14 Q. Okay. And 60 would be about 48 or 49 ppb. You have a
15 statistically significant correlation there between formaldehyde
16 levels and development of asthma in children that were studied in
17 the Rumchev study, true?

18 A. Well, the answer to that is yes and no. If you look at the
19 paper carefully, you'll see -- leave it up. If you analyze the
20 data you'll see that the first two points are actually from 10 to
21 29, they're 20; and the next one is from 30 to 49, which is 20; and
22 the next one is from 50 to 59, which is only 10. And the reason
23 they did that is because if you did it 50 to 69, you would have
24 actually eliminated all but about two kids. That point would have
25 been statistically insignificant and then the two children that

1 would have been in the next point would have also been
2 statistically insignificant.

3 So they log-linear transformed the data to make it fit
4 statistically. It's a statistical trick to make statistical data.
5 You can do almost anything with statistics. And so that's really
6 pushing your data.

7 The interesting thing was that was the only thing that
8 changed. So if you have to log-linear transform your data and then
9 change the intervals to make the data look good, I am not real
10 sure.

11 And the other thing is, in response to a reader's question
12 in a later issue of the journal, basically Dr. Rumchev said he
13 really hadn't proven that formaldehyde was a cause of asthma.

14 Q. Now, have you had occasion --

15 THE COURT: Counsel, you're a minute or two into your
16 closing. Go ahead.

17 BY MR. REICH:

18 Q. As part of your work in this case, did you have occasion to
19 read the 1999 publication ATSDR toxicological profile for
20 formaldehyde?

21 A. Yes.

22 Q. And are you aware that the ATSDR tox profile states that Wantke
23 and Krzyzanowski studies demonstrate that children respond much
24 more sensitively to formaldehyde than adults?

25 A. Yes.

1 Q. And would you agree with that?

2 A. No.

3 MR. REICH: I have no further questions, your Honor.

4 THE COURT: All right. Thank you. Any redirect?

5 MR. HINES: No, your Honor.

6 THE COURT: Anything from the government?

7 MR. MILLER: No, your Honor.

8 THE COURT: All right. Thank you. Doctor, you can step
9 down.

10 MR. MEUNIER: Your Honor, can we confirm the amount of
11 argument time remaining per side?

12 THE COURT: I have 27 minutes on the plaintiff side and 23
13 minutes on the defendants side. Would you like to reserve some for
14 rebuttal?

15 MR. MEUNIER: We may want to reserve some of our 27 for
16 rebuttal. Why don't we say 20 on our initial argument and seven
17 for rebuttal. And we will actually have two attorneys taking part
18 in the 20-minute segment, Paul Dominick and Frank D'Amico.
19 Mr. Dominick will go first.

20 THE COURT: And with regard on the defendants side,
21 Mr. Weinstock and Mr. Miller or Mr. Dinnell, how do you all want to
22 handle your time left?

23 MR. WEINSTOCK: I believe Ms. Boyle is going to make an
24 argument for the government approximately five minutes and I'll
25 take the rest.

1 THE COURT: Okay. Good. You'll have 18 minutes and we'll
2 have 5 minutes from Ms. Boyle.

3 All right. Whichever of you wants to start. Go ahead.

4 MR. DOMINICK: May it please the court, your Honor, Paul
5 Dominick for the PSC. My cocounsel has given me five minutes, so
6 I'll move quickly.

7 Your Honor, I am here to discuss our request for an
8 economic loss or property damage subclass in this case. And the
9 basic claim here is that the plaintiffs, the hurricane victims did
10 not receive habitable safe housing. As the court will recall in
11 the court's order ruling on the government's motion to dismiss,
12 page 37 of that order, the court noted that an individual's
13 qualified for FEMA assistance if the housing that the person was in
14 was uninhabitable, meaning that the dwelling was not safe or
15 sanitary or fit for occupancy. And the court went on to note on
16 the next page that the displaced residents whose housing was
17 uninhabitable were entitled to habitable housing.

18 FEMA and the manufacturers, your Honor, were to provide
19 habitable housing. The manufacturers knew that it was the purpose
20 of FEMA in procuring the travel trailers for the hurricane victims
21 and it was their duty to provide habitable housing. It's our
22 contention that those who were qualified for assistance by virtue
23 of their housing being unsafe were actually provided unsafe
24 housing.

25 The predominant common issue in the subclass, your Honor,

1 would be were the hurricane victims provided safe and habitable
2 housing, that's the simple question. As discussed in our brief,
3 the Fifth Circuit in McManus v. Fleetwood case had certified a
4 class of plaintiffs that alleged that their motor homes were not
5 suitable for the ordinary purpose for which they were sold. The
6 cause of action in that Texas case was breach of implied warranty.

7 And I believe in this case, your Honor, it's a similar
8 type case. These travel trailers were not suitable for the purpose
9 for which they were sold by reason of the fact that they were not
10 habitable.

11 THE COURT: And you say that uniformly regardless of
12 manufacturer, regardless of the time within which that particular
13 unit was manufactured, and regardless of whether it was a mobile
14 home, a no wheels versus a wheels, what we've come to call wheels
15 and no wheels travel trailer?

16 MR. DOMINICK: Well, I think there could be some
17 distinctions as far as the proof as far as those things, but I
18 don't think that those individual issues would be unmanageable in
19 this particular case. I believe the common issue, it would be more
20 important to resolve that common issue in one setting. If you
21 didn't do that in one setting, then you've got a real risk -- say
22 individual cases against Gulf Stream for example, you would have a
23 risk of individual determinations, you know, habitable for this
24 person, not habitable for the next person. So I think that's a
25 reason that would, this subclass should be certified.

1 The plaintiffs suffered immeasurable economic loss, your
2 Honor. When they received their travel trailers, they gave up
3 their right to rental assistance for substitute housing. FEMA
4 determined that the amount of financial assistance on an average
5 rental rates, which were set at nearly \$800 a month, so the damages
6 determination in this case would not preclude certification.
7 Damages for each individual would be simply mathematical or
8 formulaic calculation, the average rental rate times the number of
9 months that the unsafe trailers were inhabited.

10 THE COURT: Well, now when you say that though, we had
11 some information in connection with the government's motion that,
12 in fact, rental assistance wasn't particularly what was desired, I
13 am not speaking on behalf of the people who lived in the units, but
14 rather some of the political entities and the local and state
15 leaders, in fact, wanted not so much rental assistance because the
16 property wasn't there to be rented, but rather wanted people to
17 live in the community.

18 MR. DOMINICK: Correct.

19 THE COURT: In a damaged community, I mean there's nothing
20 to rent or very little to rent at the time. So how would that
21 impact your argument that they gave up their right to rental
22 assistance and would be entitled to recover for it?

23 MR. DOMINICK: Well, that really gets away from the issue,
24 your Honor, because what the local governmental entities assumed
25 was that they were going to be in safe housing. And the fact is

1 they weren't in safe housing. So if they had a choice between
2 unsafe housing for our residents and financial assistance, I
3 believe the choice would have been financial assistance, your
4 Honor.

5 The claim against FEMA the court also noted FEMA failed to
6 warn, was there a failure by FEMA to warn or take other action
7 after notice of the problem based upon policy or litigation
8 strategy. That's another issue that would be common, I believe, to
9 the entire class. You look at the time frame of what FEMA had
10 notice of, the question is, you know, when should they have acted,
11 what should they have warned, and the warning should have gone to
12 everybody in the class, not just a few, it should have gone to all
13 of the victims.

14 Again, you've got a risk of inconsistent adjudications
15 when you go individual cases, did FEMA have a -- what was the
16 failure to warn in this case, what was the failure to warn in the
17 other case?

18 THE COURT: Let me ask you this. With regard to out
19 timeline, which we tried to highlight in ruling on the government's
20 motion, would we not have people who may have perhaps lived, I
21 don't know if anyone lived in a unit prior to March of 2006, lived
22 in it and moved out, as opposed to somebody who moved into a unit,
23 say, sometime after the government was giving these informational
24 brochures, such as the one we covered I think today, or referenced
25 today on cross-examination of Dr. Williams. Some people moved in

1 to units after the government was supplying the information and the
2 warning type disclaimer.

3 MR. DOMINICK: Correct. And others stayed in the units
4 after that time period. So as to the government, there may be a
5 shortened time period for the people who were in the units during
6 that time period when the warnings should have been given.

7 As to the manufacturers though, your Honor, you have the
8 same common issue, was the housing provided to the hurricane
9 victims through FEMA habitable and safe. They challenged the
10 certification based on the fact of whether plaintiffs had a valid
11 warranty cause of action. Your Honor, that's a pending motion and
12 the motion's to dismiss. I don't have time to get into that. We
13 do have valid warranty claims in this case.

14 And the other issue was raised with the individual issues
15 that would be raised by state law. Your Honor, even assuming there
16 are individual issues of state law and the court chooses not to
17 apply federal common law, in this particular situation, you're only
18 talking about four states. The classes that are not certified are
19 nationwide classes based on varying laws. So I think there would
20 be in this particular situation that would be totally manageable,
21 and I think it would be appropriate for the court to follow the
22 logic of the Fifth Circuit in the McManus case and certify an
23 economic loss subclass.

24 THE COURT: All right. Thank you.

25 MR. D'AMICO: Your Honor, I now come before you still this

1 morning to request that the court certify a second subclass of
2 children who have been exposed to the elevated levels of
3 formaldehyde in the temporary housing units. If we could go to our
4 first quote, please, Brandi. "Children are more vulnerable and
5 susceptible to exposures from toxic substances, including
6 formaldehyde, because they're still growing and developing. Any
7 child," as we see here, "who lived in a travel trailer which
8 exceeded the ATSDR minimum risk levels for the correspondent period
9 in which they resided in the trailer, and who manifested any
10 symptom of formaldehyde exposure during the time in which they
11 resided in the trailers."

12 That is the class definition that we're proposing. The
13 footnote for the levels for acute exposure are 40 ppb, that is a
14 time period of 0 to 14 days; intermediate exposure of 30 ppb at 15
15 to 364; and chronic, 8 ppb, that's for 365 days plus. That comes
16 directly from the ATSDR, those are the minimum risk levels as
17 established.

18 Obviously the experts disagree on whether or not those
19 levels can cause harm, that's what the trial is about, that's what
20 the class certification trial would be about. If the jury doesn't
21 believe us, we don't get a class certified; if the jury believes
22 our experts, then we do get a class judgment.

23 What we're asking you to do today is to certify the class
24 based on the overwhelming evidence that these children are at risk.
25 In fact, the historical knowledge about formaldehyde has been known

1 since the 70s and 80s, as already been conceded by the defendants
2 in the case.

3 Manufacturing regulations, as they were testified to, HUD
4 regulations do not apply to travel trailers, and they were target
5 levels. As Dr. Williams testified today, they were only target
6 levels and they do not, in her opinion, guaranty any health
7 benefits to the more sensitive children in this case.

8 We've already gone over quote four the ATSDR's mission.
9 Formaldehyde in travel trailers as we've seen is not regulated by
10 HUD.

11 Quote number five, if we can, "This recreational vehicle
12 has been designed for short term and recreational use. It was not
13 designed to be used as a permanent dwelling. If you intend to use
14 your recreational vehicle as a permanent dwelling, it could cause
15 your carpet, drapes, upholstery, and other interior surfaces to
16 deteriorate." This is illustrative out of Forest Rivers Owner's
17 Manual.

18 Not only were the travel trailers not designed for
19 extended residential occupancy, the manufacturers knew of it and
20 warned of it. The problem is many of the residents never got those
21 owner's manuals and never read those warnings until after the
22 government sometime in June of '07 started handing out those flyers
23 which we saw today.

24 Statement of formaldehyde from building materials, quote
25 six, and I am trying to rush through it, Judge, because of the

1 hour. Obviously I would take more time if we had more time.

2 We did go over the CDC final report and quote number
3 eight, in we can, Brandi. "In this study, travel trailers had
4 significantly higher average formaldehyde levels than did park
5 models and mobile homes. A higher proportion of travel trailers
6 than park models and mobile homes also had formaldehyde levels
7 greater than 100 ppb or greater than or equal to 300 ppb. Compare
8 the 97 ppb average, which Dr. Golden talked about in his affidavit,
9 with a recent 184 home residential study cited by the CDC in its
10 report that found outdoor ambient air levels of formaldehyde at 3
11 ppb. 17 ppb for home indoor air and 16 to 25 for trailers.

12 The trend since the 70s when the Consumer Safety Products
13 Safety Commission first started regulating it and then later HUD,
14 has been a downward trend on indoor ambient air levels because of
15 concern about formaldehyde and breathing it in. The problem is,
16 Judge, most of the studies that they cite to, and I think they even
17 conceded that, relate to adults. And children are not just small
18 adults. A child's lung reacts differently and all of the credible
19 studies on the child exposure show that they react much more
20 severely. And in much lower levels.

21 "Temperature," quote number nine, Brandi, "relative
22 humidity, ventilation, and age of house also contribute to
23 differences in measured formaldehyde levels. In longitudinal
24 studies, formaldehyde emission rates were nearly constant for the
25 first 8 months after construction, and then began to decline."

1 Now, this is significant. Why? Because the testing that
2 the CDC did was two years later. Admittedly in their own report
3 they say it's an underestimate of the true values when they first
4 moved in. It's our belief that the levels were greatly higher, and
5 the studies show and the defendants' experts even agree that with
6 every 12-degree increase in temperature, you have a doubling effect
7 of the emission rate. Add humidity in there, most of the studies
8 they cite to relate to a 50 percent or less humidity level. When
9 the humidity rises, emission rates also rise. In the CDC study,
10 you know they tested in December and January when it was around 50
11 degrees. Compare that to 85 degrees and you have instead of an
12 average of 97 ppb, 2 years later, it jumps to 800 ppb.
13 Coincidentally the same is measured in many of these studies.

14 THE COURT: Doesn't that beg the question then, if someone
15 who lived, whether it's a child or an adult, who let's say moved
16 into an EHU in November of 2005 and perhaps under whatever
17 circumstances was able to move out of that unit in April or May of
18 2006 would surely not be situated the same as someone who moved in,
19 let's say, in February of 2006 and moved out in December 2006 or
20 January of 2007.

21 MR. D'AMICO: That's right.

22 THE COURT: Because of the fact that there is an
23 intervening summer that according to all of the testimony we've
24 heard and all of the material you all have submitted makes a great
25 deal of difference.

1 MR. D'AMICO: It does, it does. The point about our
2 subclass though, your Honor, is whether it caused or exacerbated a
3 preexisting asthmatic condition or respiratory condition is of no
4 moment. The fact is, if a child with a preexisting asthma moved
5 into a trailer and their preexisting asthma was exacerbated, as the
6 Child Health Study showed us, they need treatment. They lost many
7 of their doctors, they moved out. Most of the public assisted
8 medical treatment centers, such as Charity, are no longer in
9 existence, they're lost to medical care.

10 THE COURT: So you're speaking of this subclass for
11 children solely as a function of damages?

12 MR. D'AMICO: No, as an interventional fund so that they
13 can get treatment. The difference is we're not going to make a
14 damage award. We're not concerning ourselves with, what is the
15 value of that child's claim. What we're concerned about is the
16 health crisis that's been created and who is going to pay for it.

17 Do the already overtaxed Medicare and Medicaid systems
18 pay? I recently read an article where the state Medicaid system is
19 facing a \$450 million shortfall. The health study has called on
20 the governor to provide assistance to these children. We believe
21 it is more equitable and more reasonable to ask the defendants who
22 are responsible for causing the damage in the first place to pay
23 for it.

24 THE COURT: Yeah, but you see that's -- you're kind of
25 leapfrogging the liability issue by stating that there should be,

1 as a component of damages, a class wide resolution, particularly
2 with regard to the impact that children have suffered before we
3 even get to the issue of liability. Seems like you're arguing
4 strictly a class relative to a damage award.

5 MR. D'AMICO: Let's go on our trial plan, Brandi, to the
6 last slide. What we're suggesting, your Honor, and I realize that
7 class certification states we have to give you a trial plan, so
8 this is what our proposal is.

9 What we would do is not go into a damage trial first.
10 What we would do is try the cases serially, one at a time, against
11 each manufacturing defendant. For instance, Gulf Stream would be
12 the first trial, they manufactured 50,000 of the trailers. That
13 manufacturer defendant plus FEMA, obviously we've filed an
14 underlying petition naming the four no bid contractors but they
15 haven't been added in yet. If they are ultimately added in, they
16 would also serve as additional defendants.

17 The second part of that would be a class wide evidence of
18 medical causation only, not damages, concerning formaldehyde
19 inhalation or other modes of exposure. The third issue --

20 MR. WEINSTOCK: I'm sorry, your Honor, I will let him
21 continue, just note my objection. I don't believe I've ever seen
22 this document.

23 MR. D'AMICO: This is our trial plan. I'm arguing it,
24 it's not in evidence.

25 THE COURT: I'll let him use it as a demonstrative

1 exhibit.

2 MR. D'AMICO: It's a demonstrative, that's all it is,
3 Judge.

4 THE COURT: I wanted you all to share that prior to today,
5 but.

6 MR. WEINSTOCK: Go ahead. You're running out of time, go
7 ahead.

8 MR. D'AMICO: Okay. You're so thoughtful. Third, our
9 issue would be class wide evidence concerning whether the
10 conditions and/or the mechanisms of injury suffered by the
11 plaintiffs are common to all potential class members, regardless of
12 whether their injuries were caused or exacerbated by formaldehyde.

13 Fourthly, if the defendant is found liable, the court with
14 the help of the answers to the jury questions, the parties, and
15 expert testimony and/or consultation, will fashion a remedy,
16 including the following issues: One, qualification criteria for
17 individual class members, a format of a treatment remedy, the cost
18 of the treatment remedy, allocation of cost of the remedy among the
19 liable defendants, and management of funds for the treatment
20 remedy. The beauty about this --

21 THE COURT: Why would I not be able to do that as part of
22 a mass joinder procedure?

23 MR. D'AMICO: The problem with a mass joinder is who pays
24 for the ongoing child care that is a crisis situation now. We
25 would have to have serial trials of bellwethers for each

1 manufacturing defendant only to get individual awards for those
2 individual members. This would be a totally separate subclass,
3 nothing to do with the damage trial, that would create a situation
4 like the vaccine fund or like the Costano or the tobacco litigation
5 cessation fund, or the program that Dr. Williams testified about in
6 Judge Lemmon's court where a fund was created to get intervention
7 and education for the asthmatic children. Hugely successful,
8 making a great impact on the children who are suffering now.

9 As the health study pointed out, the funding is going to
10 dry up in March of '09 and that's only a study. As Dr. Wedner just
11 testified, study is nice; but to just study and not provide
12 treatment is unethical. Dr. Paris in his supplemental affidavit
13 addresses that issue and says, look, I've been hired by the
14 government to study the issue, but that's not enough. What do I
15 tell this child after I diagnose him and study him and say you're
16 sick, you have asthma, good luck, go find help some place.

17 There's a crisis that exists now. And the only equitable
18 thing to do would be to certify a class -- and look, if we lose on
19 the causation, they walk away, they never funded. If we lose on
20 whether or not these are common mechanisms of injury common to all
21 of the class members, we don't recover. We have to prove all of
22 those issues.

23 I'm reminded that I have five minutes, I should probably
24 save some of it --

25 THE COURT: You're 20 minutes is going to end in about a

1 minute. So go ahead and wrap up and then we'll save the balance of
2 the time for rebuttal.

3 MR. D'AMICO: Thank you, your Honor.

4 THE COURT: All right. Thank you. All right. Which of
5 you would like to go first? Mr. Weinstock.

6 MR. WEINSTOCK: I'll go first. Your Honor, my
7 presentation is going to change dramatically on what I planned
8 based on what the plaintiffs have done today.

9 Very briefly, when we talk about class certification, and I
10 can't really say it better than Niko Fischer orchestrated it in 120
11 pages that I know you've read, but one way of looking at it is
12 there are the factors under Rule 23, commonality, typicality,
13 adequacy of representation, numerosity, and all of these things
14 seem very simple. Plaintiffs, oh, common question of law in fact,
15 that's no problem; typicality, that's no problem.

16 When you overlay the predominance requirement, these
17 problems become insurmountable. What common question predominates
18 this litigation? There is none. If we took them at their word and
19 we tried a plaintiff and we tried, you know, Mr. X -- let me
20 rephrase. If we tried John Smith. He would have to prove he was
21 exposed to a level of formaldehyde that caused his particular
22 illness, and that that illness is more likely than not related to
23 that exposure and not something else in his medical record. And
24 that's what Dr. Shellito told us. Their doctor, not mine.

25 And what would that tell you about his twin brother who

1 lived in the same bunk -- in the bunk bed below? Absolutely
2 nothing. Because his twin brother who may have the exact same
3 symptoms would have to show that he was exposed to a level of
4 formaldehyde that was possible of causing the disease in him. And
5 that disease is more likely or that illness is more likely related
6 to formaldehyde than anything else in his past medical history.

7 So it seems common because we're trying similar cases, but
8 trying one does not solve the problem of trying the second case.
9 One cannot represent the other. And when you overlay predominance,
10 it disappears.

11 THE COURT: But isn't it true that in many, I mean, you
12 pick an MDL, pick a class action, aren't there always going to be
13 certain differences with regard to damages that wouldn't
14 necessarily permit the court from having a trial relative to
15 certain of the liability issues?

16 MR. WEINSTOCK: Always, your Honor. But here is the
17 problem. It's not a damage issue, it's a medical causation issue.
18 You hit the nail on the head when you asked them, you skipped
19 liability. They've got to prove medical causation for one
20 plaintiff which has nothing to do with medical causation for any of
21 the other plaintiffs. It's an individual question and it can only
22 be tried by an individual, it can only be tried with that
23 individual's doctors taking the stand, with that individual
24 trailer's understanding of the levels of formaldehyde that that
25 individual would be exposed to and that could it be related.

1 And again, it wouldn't have any impact or solve any --
2 give us any shortcut to his twin brother in the next bunk. And
3 Dr. Shellito acknowledged that because it's right.

4 We also heard this morning about an economic class and the
5 theory that the plaintiffs are entitled to rental assistance
6 pursuant to that class. In Mr. Miller's brief, the federal
7 government's brief there is a Ridgeway case decided in January of
8 2008 that says point blank you don't have a constitutional right to
9 rental assistance. And if you don't have a right to rental
10 assistance, nothing's been taken from you.

11 Now what they're arguing is, hey, we have a property
12 right. We briefed it very extensively. You have to be the
13 purchaser, you have to be the buyer to have that right. If I can
14 phrase it, how do you have a claim for property damage when you own
15 no property? At least none involved in this litigation. The
16 federal government, if anybody, has that right, they certainly
17 haven't asserted it.

18 And it wouldn't solve the problem anyway, because again,
19 what are they trying to say? They're trying to say that the
20 trailer is not proper housing. Assuming we accept them, that's the
21 question. Why is it improper? It's improper because of the levels
22 of formaldehyde in the trailer. Okay. Is the same level --
23 they've sat here and argued all morning that children are affected
24 at different levels. Does the same level make it defective for a
25 50-year old male as a five-year old child? So the level alone

1 tells us nothing. We have to go back through the steps and decide
2 is it inappropriate for this plaintiff because it could medically
3 cause this plaintiff's problem. Because if it didn't medically
4 cause any problem in the plaintiff, there is nothing wrong with the
5 housing.

6 The national cancer study -- I'm sorry, the National
7 Children's Fund study they've been talking about shows that there's
8 no increase incidence in asthma. I mean, I objected to it but I
9 thought about it afterwards, can I not object to this one sentence
10 where it says that the incidence is no higher? If the incidence is
11 no higher, how do you attribute the incidence that was there
12 pre-Katrina and post-Katrina to a formaldehyde level when it hasn't
13 changed. Again, not parents' complaints but what the doctors say.

14 And that's why this always is going to come down in a lot
15 of ways, medical causation, which is an individual question.

16 There's a slide they haven't shown you, and it comes from
17 the supplemental report of Dr. Stein that I first saw yesterday.
18 And it's the last sentence and I thought it was going to be their
19 bang, bang finish.

20 MR. D'AMICO: Ran out of time, sorry. Sorry, Andy.

21 MR. WEINSTOCK: That's all right.

22 MR. MEUNIER: Go ahead and tell the Judge what it is.

23 MR. WEINSTOCK: I do want to tell the judge what it is.

24 THE COURT: I was going to say, it might be Mr. Meunier is
25 still sitting here waiting for his opportunity.

1 MR. WEINSTOCK: It says, "if children have symptoms they
2 should be treated." It might be the worst idea I've ever heard in
3 my life, whether it came from a doctor or somebody else. Think
4 about what they're saying, your Honor. The child not has a
5 diagnosis of asthma but has a symptom of asthma, they should be
6 treated. How are you going to treat a child with shortness of
7 breathe or wheezing? You're going to give them steroids. We're
8 just going to give steroids to anybody that has a symptom? We're
9 going to stunt their growth, we're going to delay their development
10 because they have a symptom of wheezing. We're not going to treat
11 the disease, we don't care, we just want to treat the symptom.

12 What if that child had a tumor that was causing the
13 shortness of breath? Let's not do an X-ray and see if there's
14 something else wrong, give them steroids, see if that reduces the
15 wheezing. If you had a tumor and you got steroids, it would reduce
16 the wheezing and we would wait a little bit longer to find out that
17 you had a tumor. You don't treat symptoms, that's why you diagnose
18 and then you treat.

19 Now, they're going to say, oh, no, Mr. Weinstock, you're
20 taking this out of context, that's not what we meant. We meant you
21 treat the symptoms of asthma once it's diagnosed. Okay. I agree.
22 Let's go back to individual causation, medical causation and
23 diagnosis. We're right back where we started. You can't just
24 leapfrog medical causation and say, oh, we're going to treat
25 everybody that was a child that lived in a FEMA trailer. They

1 skipped a few parts there, a few steps.

2 Another point the plaintiffs have made over and over again
3 is this is not about specific causation, it's about general
4 causation. That's not correct. General causation just gets you in
5 the courtroom. If the claim here was the trailers have too much
6 oxygen in them, we wouldn't have been here very long because
7 there's no epidemiological study that suggests too much oxygen is a
8 problem. So the idea that there may be some papers out there,
9 general causation paper just beats summary judgment on a class wide
10 basis, it does not get them to certification.

11 But even if that's the case, even if that's what they're
12 seeking, they've asked the wrong question anyway, they asked the
13 wrong question a general causation, because the correct question is
14 not are there health consequences of formaldehyde. The real
15 question is, are there health consequences to the effects of
16 formaldehyde in these trailers. And the only way they can get to
17 everybody in their class is the one molecule theory, the one breath
18 theory that Dr. Williams espoused.

19 That goes to cancer, but Dr. McGwin tells us you can't get
20 to cancer unless you have an exposure level of 4,000 ppb, which we
21 do not see in these units. So the cancer issue is gone. I am
22 cracking up here. Mr. D'Amico is agreeing with me, he says, that's
23 cancer, that's cancer.

24 MR. D'AMICO: We're not talking about cancer.

25 MR. WEINSTOCK: That's right, we're not talking about

1 cancer, so we don't have uniform injury because the one molecule
2 theory is a cancer theory. We already heard there is a threshold
3 for asthma, she didn't see a study below 49 ppb, it doesn't mean at
4 50 we all get it. That just means they didn't see anything below
5 that. You got to get above that and you got to keep going and you
6 got to see where do we see a number. Dr. Wedner says keep looking,
7 you'll never find it.

8 Your Honor, I would like to finish with a quote from
9 somebody smarter than me, which is many. Somebody said, "As for
10 each plaintiff involved in this litigation, the evidence will
11 undoubtedly differ. Just as the individual claims will differ.
12 For instance, some plaintiffs will not have suffered any symptoms
13 from alleged formaldehyde exposure. Furthermore, those who do
14 claim to have suffered side effects will surely differ as to which
15 side effects each suffered and to what extent those side effects
16 manifested."

17 And that's in your opinion, Document 717, pages 42 and 43.
18 I can't say it more succinctly than that. So I'll just go ahead
19 and quote your record. Thank you, your Honor.

20 THE COURT: All right. Thank you. Wait. Ms. Boyle.
21 You're always leaving the government out.

22 MR. D'AMICO: Always cutting into my time.

23 THE COURT: Seems like when we were here on their motion
24 to dismiss you were very conscience of them being here. Now
25 they're still in and nobody's looking at them.

1 All right. Go ahead, Ms. Boyle.

2 MS. BOYLE: Good afternoon, your Honor. Just very briefly
3 with respect to the United States.

4 And with respect to sub-classes specifically because that
5 is what's been addressed primarily today. First, no child is
6 proffered as a class representative with respect to the United
7 States. And this applies over and above the objections that the
8 private defendants have made.

9 Secondly, no class representative or no person who has
10 sued the United States has claimed any property damage on his or
11 her administrative claim form. And, in fact, Ms. Peugal (PHONETIC)
12 is the only person who is proffered as a class representative as to
13 the United States. But neither she nor any of the other named
14 plaintiffs has claimed property damage. And this is a
15 jurisdictional prerequisite.

16 Finally, as the private defendants have stated, there has
17 been no requisite ownership interest or loss of use in any of the
18 alleged property in the claims in this case. And the Federal Tort
19 Claims Act provides that state law provides the substantive
20 standard under which such a loss would be reviewed. And certainly,
21 Louisiana, for example, state law provides there must be a
22 requisite ownership interest.

23 And then finally, as the private defendants pointed out,
24 the Ridgley decision out of the Fifth Circuit would bar any such
25 constitutional claim as well as the Federal Tort Claims Act which

1 provides that based on a certain set of facts, if a tort claim is
2 brought under the Federal Tort Claims Act, that is the exclusive
3 remedy as opposed to any constitutional claim.

4 With respect to issue sub-classes, it's clear from the
5 advisory committee notes, as well as the Manual for Complex
6 Litigation, for example, at pages 129 through 130, that any issue
7 subclass still or liability subclass still needs to advance the
8 question of liability with respect to any subclass. And this
9 applies over and above all of the other Rule 23 factors.

10 So, for example, in the Costano case which was cited by
11 the Plaintiffs' Steering Committee today, that actually was
12 decertified at the circuit level in the Fifth Circuit I believe,
13 and that court specifically found that the district court did not
14 discuss the alleged core liability issue, for example, of knowledge
15 on the part of the defendant with respect to cigarettes. And that
16 is very similar to some of the core liability issues that are being
17 alleged in this case against the federal government. And there's
18 no reason why to the extent there is any common question concerning
19 knowledge or concerning any course of conduct that could not be
20 resolved in a mass joinder basis under Rule 42.

21 And, in fact, the facts of this case, which are cited in
22 our briefs, show that, if anything, there was no common course and
23 that FEMA's actions with respect to each family differed among
24 families in response to whether or not a complaint was received
25 with respect to formaldehyde, and in many cases there was none. In

1 many cases FEMA responded promptly, or many cases there may have
2 been a complaint after a person moved out of the trailer or there
3 may have been an offer to move the person which was not accepted
4 for various reasons for the situation of that particular family.

5 And finally, the Plaintiff Steering Committee today
6 mentioned again the regulatory definitions of uninhabitable
7 contained in the Stafford Act, and I would just highlight for the
8 court that federal statutes and regulations do not create a private
9 right of action and that those definitions apply to other legal
10 questions besides the way in which they were used today. And the
11 reasons for that are stated in the United States' motion to
12 dismiss, which was filed this past spring.

13 And as a factual matter, with respect to the flyers that
14 FEMA began issuing, I believe that was done in June of '06 as
15 opposed to June of '07, that's just a factual matter to correct for
16 the record.

17 THE COURT: I think that's what the evidence was as
18 reflected in the order and reasons that we pinpointed a date in
19 June of '07 where at least in some quarters the initial disclosure
20 of information by way of a flyer occurred somewhere, some
21 particular group of people.

22 MS. BOYLE: Yes, your Honor. And then finally with
23 respect to legal reasons why a class may not be maintained against
24 the United States, and I will just briefly highlight them and then
25 rest on our briefs, unless the court has any further questions.

1 First, the court may not certify a class where it lacks
2 jurisdiction over the claims of the putative class members. And
3 this is well established through cases such as Amcam, and it's not
4 alleged in this case that the putative class members have satisfied
5 the exhaustion requirements of the Federal Tort Claims Act, either
6 by initiating claims or by deeming their claims denied and filing
7 suit in district court.

8 And even assuming some subset of those persons did possess
9 claims with proper subject matter jurisdiction, a large number of
10 individualized determinations still would be necessary to resolve
11 whether or not the court would have jurisdiction over those
12 person's claims, regardless of the FTCA's exhaustion provisions,
13 for example, the statute of limitations provision, the legal
14 sufficiency requirements, as well as the discretionary function
15 exception, which is addressed in this court's order of October 3rd.

16 THE COURT: But some of the proposed class reps have
17 already submitted their Form 95 and would have satisfied that
18 requirement for FTCA jurisdiction; isn't that correct?

19 MS. BOYLE: No, your Honor. The United States' position
20 in this regard is that the administrative claims requirement
21 provides -- well, excuse me, yes, there are, we believe, 19 persons
22 who have exhausted their administrative remedies and who have
23 exercised their option under 2675(a).

24 THE COURT: But then couldn't we define a class? One of
25 the component parts of class membership would be those who have

1 satisfied the Form 95 filing requirements and dispositional
2 requirements, wouldn't that be a way to define the class such that
3 those that you're claiming the court has no jurisdiction over would
4 not be so included? I am not saying that's the most practical
5 thing to do, I am just saying that if we're going to talk about who
6 is qualified as a class rep, we have someone, a few people who
7 would satisfy that requirement.

8 MS. BOYLE: Your Honor, that would not be appropriate for
9 the following reasons: It's inappropriate to define a class of
10 unascertainable membership. And in this case, there's been no
11 evidence proffered as to who has exhausted -- and it's the United
12 States' position that exhaustion for the purpose of being included
13 in a class means that a claimant who has filed and who has not
14 received any disposition after six months may file suit under the
15 statute in this court or he may allow his claim to remain pending
16 with the agency and the regulatory, the statute and the
17 congressional intent and the scheme, the statutory scheme show that
18 the agency still possesses the authority to settle that claim or
19 any number of claims.

20 And so in order to cert a class -- and moreover, that
21 person's claim still would be subject to the discretionary function
22 provision, still would be subject to the statute of limitations
23 because the Federal Tort Claims Act has its own statute of
24 limitations, irrespective of American Pipe, still would be subject
25 to legal sufficiency requirements. And so that type of definition

1 would simply be -- the United States' position is there would be no
2 jurisdiction to make that definition. But even regardless of that,
3 that that would be unworkable in the class certification context.

4 THE COURT: Okay.

5 MS. BOYLE: And I would just point the court's attention
6 as well to the case of John v. National Security Fire and Casualty
7 Co., where the court rejected a similar type of inquiry at the
8 Fifth Circuit level with respect to class certification, as well as
9 the Amcam case which found that the proper remedy where the court
10 may have jurisdiction as to some members but not others was simply
11 to deny the class.

12 THE COURT: Okay. All right. Thank you, Ms. Boyle.

13 MS. BOYLE: Thank you, your Honor.

14 THE COURT: All right. You've got about seven minutes of
15 rebuttal time.

16 MR. D'AMICO: Thank you, your Honor. Property damage
17 claims as it relates to the, that subclass that we're proposing,
18 Judge Duval in the McWaters case has carefully reasoned an
19 entitlement and addressed all of the constitutional issues involved
20 in that. We would urge the court to reflect on Judge Duval's
21 decision because he has analyzed this and gone over many of the
22 steps.

23 It's perplexing to us, your Honor, that FEMA knows who the
24 recipients of the FEMA trailers are and they won't divulge the
25 names so the class is unascertainable in size? We could craft a

1 class very easily to only include those persons who have satisfied
2 the administrative requirements, filed their Form 95s and exhausted
3 all administrative remedies before they could qualify, so those
4 arguments are nonsensical.

5 And as Judge Duval has already pointed out, there is a due
6 process requirement because there is a property right that vest
7 wants you're determined to be entitled. The McWaters case we find
8 compelling.

9 Now, the class deposition as my counsel has said, class
10 includes all found by the court following appropriate notification
11 to have filed Form 95 claims; and two, evidences intent to proceed
12 with the litigation as a class member.

13 I would like the court now to look at the definition for
14 the proposed subclass for the medical intervention and treatment
15 fund. I think what the defendants are doing is confusing the
16 issues of cancer and a medical monitoring fund with what we're
17 proposing, which is not a medical monitoring fund at all. It is a
18 fund to be created for any child who lived in a travel trailer
19 which exceeded the ATSDR minimum risk levels for the correspondent
20 period in which they resided in the trailer. That means they have
21 to have exhibited a manifest injury while living in the trailer --
22 during the time they resided there. And we would have to show that
23 the levels they were exposed to exceeded those as promulgated by
24 the ATSDR.

25 If we don't prove it, we don't recover. That's what the

1 trial is all about, but there's certainly a workable way to do it.
2 The alternative is to go through -- we have 140,000 trailer
3 residents. Do we go through 140,000 trials to get a fund
4 established so that these children can get treatment? When? Ten
5 years from now? It's not workable, the judicial economy doesn't
6 work.

7 The only way we can satisfy the medical crisis as outlined
8 by Dr. Heidi Sinclair and as evidenced in her testimony before
9 Congress is to create a fund now. The emergency exists now. And
10 who is going to pay for it? Either the state government or the
11 federal government, unless we create a fund by the perpetrators who
12 are negligent and caused the injury in the first place.

13 THE COURT: But it seems like we don't even, from the
14 testimony today, we don't have any type of agreement with regard to
15 what the legal standard, what is going to be found as the -- I'm
16 focussing where you have ATSDR minimum risk level as though that's
17 the benchmark that is to be followed.

18 MR. D'AMICO: Right.

19 THE COURT: And I don't think we have any uniformity of
20 agreement with regard to that. As a matter of fact, that's been
21 disputed since Day 1 in this case.

22 MR. D'AMICO: Exactly. And that is a merits based
23 decision, you don't have to go there today. That's what the trial
24 is about. That addresses the merits of is the ATSDR level actually
25 predictive of anything. If the jury doesn't believe it, if they

1 say, look, ATSDR is purely speculative, we don't agree with it,
2 they throw it out. It doesn't apply.

3 It's case management. This is not -- what they're talking
4 about is merits, what we're talking about is case management.

5 THE COURT: Well, but it sounds to me again like you're
6 talking about not just case management but you're talking about
7 damages, you're talking about a subclass of people that will be
8 awarded some benefit under the authority of the court.

9 I'm all in favor of case management and I think it's a
10 great idea as it's laid out on paper, but it gets, as we pointed
11 out already or I asked you already, kind of climbs over the
12 liability requirement. But also it seems to insert a standard that
13 is very much in dispute at this point in order to qualify somebody
14 for a court sanctioned benefit.

15 MR. D'AMICO: Okay. This is what we propose, let's go at
16 it again. Try the cases serially (one at a time) against each
17 manufacturing defendant. For instance, Gulf Stream and FEMA, for
18 determining fault and liability on statewide basis. In other
19 words, the same jury would apply the different state laws and say
20 whether or not that state law allows recovery for the proposed
21 remedy. The same jury so that there would be consistency would be
22 decided it as to Gulf Stream for each of the four states involved
23 and FEMA.

24 Second, class wide evidence of medical causation
25 concerning formaldehyde inhalation or other modes of exposure would

1 be put on by expert testimony and the jury would decide who to
2 believe. If they believe the defendants are right and it takes
3 800 ppb before you get any kind of aggravation of any symptoms and
4 we don't prove 800 ppb existed, we lose, we go home.

5 But if we prove it can occur as low as 30 or 40 ppb to
6 aggravate an asthmatic condition and that child's preexisting
7 asthma was aggravated by 30 or 40 ppb, and if we prove to the
8 jury's satisfaction that it actually exceeded those levels, then we
9 recover, then that fund is created and anybody who comes to court
10 who can show they resided in the trailer, they had exacerbation of
11 a preexisting asthmatic condition, and their trailer exceeded those
12 levels, they're in.

13 Third, class wide evidence concerning whether the
14 conditions and/or mechanisms of injury suffered by the plaintiffs
15 are common to all. That's what Dr. Williams was trying to explain,
16 the mechanism of injury, the way this electrophile comes into the
17 body and affects the tissue, the molecules. Not talking about a
18 DNA change, which they seem to be confusing the issues, we're
19 talking about at a molecular level this electrophile binding to
20 those molecules and causing cross-links, causing actual manifest
21 injury.

22 Now, they say, well, what happens if Johnny had symptoms
23 and Bobby didn't have symptoms. That's simple, it's
24 self-regulating. Bobby who had symptoms applies for medical
25 treatment; Johnny who didn't, doesn't apply. We're not putting

1 money in the hands of anyone. What we're doing is we're creating a
2 fund so that if Johnny has symptoms and Bobby doesn't, Johnny gets
3 healthcare.

4 Fourth: If any defendant is found liable, the court, with
5 the help of answers to the jury questions, the parties and expert
6 testimony and consultation with experts will fashion a remedy,
7 including the following issues: And that's what we're talking
8 about like the vaccine fund where you have certify certain criteria
9 exists before you can apply and benefit from it.

10 Qualification criteria for the individual class members
11 would be defined: A format of a treatment remedy, such as what is
12 appropriate. Andy was saying, well, what do we do? Do we just
13 give out steroids to the kids? That's all part of the trial,
14 that's a merits based issue and the jury will determine, yes, this
15 is appropriate type of remedy or, no, it's not; or it may even be a
16 judge function at that point.

17 Third, the cost of the treatment remedy. We would have to
18 prove, to the extent that we could, how many are in a potential
19 class and how many are claiming symptoms. If they're not claiming
20 symptoms and don't need treatment, we don't need to set up a fund
21 for them. Again, it's self-regulating. Allocation of cost of
22 remedy among the liable defendants and management of the funds or
23 treatment and remedy.

24 That's what we're proposing. Now obviously we would like
25 to refine it some more. Obviously we would like some input. If

1 you determine, yes, I've seen that there is an ample record that
2 there is a fact in dispute as to what level can cause it, but, yes,
3 I believe that some reasonable minds can come to a conclusion that
4 asthma or bronchoconstriction or respiratory problems can manifest
5 from exposure to a certain level, that's for us to fight about at
6 trial what the level is and what remedy is deserving.

7 THE COURT: All right. Thank you, Mr. D'Amico.

8 MR. D'AMICO: Judge, thank you for your time. I know you
9 have things to do and we appreciate it.

10 THE COURT: Well, thank you all very much for all the
11 preparation that went into this, both in the written materials as
12 well as today. I do appreciate it.

13 The game plan from here will be, I mentioned some type of
14 post trial memos, you don't have to file one if you don't think you
15 need one. If you would like to file something you can. You don't
16 have to use all of the pages if you feel like you've expressed
17 clearly everything you need to express, then that's fine.

18 Please don't be repetitive. I would like, if you would,
19 I'll give both the defendants, as well as the government, a 10 page
20 limit and the plaintiffs will have I think I mentioned 15 pages
21 total. What I would really like you to do is to maybe talk amongst
22 yourselves as what you intend to cover. Mr. Meunier had suggested
23 earlier that he wanted to discuss some exhibits and his objections
24 to those exhibits. That's fine, as long as it's not repetitive of
25 something I've already read.

1 I would like to get those from you no later than, today is
2 the 2nd, why don't we say the close of business on the 10th, which
3 is next Wednesday, with those page limits seems that ought to be
4 very doable, get them to my by the 10th. All will be due at the
5 same time.

6 I will tell you now, please don't ask for, "can I file
7 something to respond to what my opponent filed?" The only way I
8 would ever entertain that would be if there's an outright -- an
9 opinion that comes down from the Fifth Circuit or the Supreme Court
10 between now and then; or if there is an outright falsehood, which I
11 would expect, of course, you to call your opponent's attention to,
12 and I would not expect to have in the first place. But please try
13 to work it out amongst yourselves, but I don't need duplicatory
14 arguments beyond that because I have a great deal of material and
15 I'll be prepared to rule and will do so as promptly as possible.

16 My goal and intent is to get a ruling to you all certainly
17 before the end of the year. That's not a promise but it's
18 certainly a goal, I would like to get that to you. We know you're
19 waiting for it and that'll dictate the course of the case
20 thereafter. Mr. Woods.

21 MR. WOODS: Yes. Your Honor, in responding to the
22 objections raised to plaintiffs' exhibits, in conversations with
23 Henry Miller, counsel for the government, there is some corrections
24 that need to be made to the exhibit list.

25 THE COURT: Sure.

1 MR. WOODS: And so that we need to supplement the pretrial
2 order with a correct list of exhibits and correct listing of
3 objections.

4 THE COURT: Okay. If you'll go ahead and get together,
5 and once you've got it resolved, go ahead to bring it to Amanda's
6 attention, she'll make certain that the corrections are made in my
7 book, both my pre-trial order as well as any exhibit book changes
8 that need to be made.

9 Thank you all very much.

10 MR. MEUNIER: Thank you, Judge.

11 MR. WEINSTOCK: Thank you, your Honor.

12 THE DEPUTY CLERK: All rise.

13 (WHEREUPON, THE PROCEEDINGS WERE CONCLUDED.)

14

15 * * * * *

16

17 REPORTER'S CERTIFICATE

18

19 I, Karen A. Ibos, CCR, Official Court Reporter, United States
20 District Court, Eastern District of Louisiana, do hereby certify
21 that the foregoing is a true and correct transcript, to the best of
my ability and understanding, from the record of the proceedings in
the above-entitled and numbered matter.

22

23



24

Karen A. Ibos, CCR, RPR, CRR
Official Court Reporter

25